1	IN THE UNITED STATES DISTRICT COURT FOR THE DISTRICT OF COLUMBIA					
2						
3	UNITED STATES OF AMERIC	CA,	Cimil Action			
4	et al., Plaint:	ffs,	Civil Action No. 1:20-cv-3010			
5	vs.		Washington, DC			
6	GOOGLE, LLC,		October 18, 2023 1:32 p.m.			
7	Defenda	nnt/	Day 24 Afternoon Session			
9 10 11 12	TRANSCRIPT OF BENCH TRIAL BEFORE THE HONORABLE AMIT P. MEHTA UNITED STATES DISTRICT JUDGE  APPEARANCES:					
L3	For DOJ Plaintiffs:	KENNETH DINTZED				
L4 L5	FOI DOD FIGHREITS:	U.S. Department 1100 L Street, Washington, DC	NM			
L6		MEAGAN BELLSHAW				
L7		U.S. Department 450 Fifth Stree				
L8		Washington, DC	20001			
L9		DAVID DAHLQUIST U.S Department	of Justice			
20		_	lle Street, Suite 600			
21	For Plaintiffs State of Colorado &					
22	State of Colorado & State of Nebraska:	WILLIAM CAVANAUG	•			
23			knap, Webb & Tyler, LLP the Americas #2200			
24		New York, NY 1	0036			
25						

1	APPEARANCES CONT:	
2	For Plaintiff State of Colorado:	JONATHAN SALLET
3	state of colorado.	STEVEN KAUFMANN  Colorado Department of Law
4		CPS/Antitrust Section 1300 Broadway, 7th Floor
5		Denver, CO 80203
6		
7	For Defendant Google:	JOHN SCHMIDTLEIN
8		KENNETH SMURZYNSKI
9		Williams & Connolly, LLP 680 Maine Avenue, SW
10		Washington, DC 20024
11		<b>WENDY HUANG WASZMER</b> Wilson, Sonsini, Goodrich & Rosati
12		1301 Avenue of the Americas, 40th Fl. New York, NY 10019
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23	Court Reporter:	JEFF HOOK
24		Official Court Reporter U.S. District & Bankruptcy Courts
25		333 Constitution Avenue, NW Washington, DC 20001

1	INDEX		
2	WITNESS		PAGE
3	P. PANDURANG NAYA	AK	
4	Cross-Examination by Mr. Dintzer		
5	Redirect Examin	nation by Mr. Smurzynski	6468
6			
7			
8			
9		EXHIBITS	
10	EXHIBIT		PAGE
11	Exhibit UPX680	Admitted into evidence	6435
12	Exhibit UPX2034	Admitted into evidence	6451
13	Exhibit UPX2026	Admitted into evidence	6455
14	Exhibit UPX2022	Admitted into evidence	6458
15	Exhibit UPX2027	Admitted into evidence	6462
16	Exhibit UPX2033	Admitted into evidence	6465
17			
18			
19			
20			
21			
22			
23			
24			
25			
	Ī		

1 PROCEEDINGS 2 THE COURT: Welcome back, everybody. 3 We're ready to proceed, Mr. Dintzer? MR. DINTZER: Yes, Your Honor. The defense helped us and 4 5 worked with us, and I think we're going to be able to do this 6 in open court. 7 THE COURT: Good. MR. DINTZER: And they'll let me know if I wander outside 8 9 the line. 10 THE COURT: Okay. MR. DINTZER: May we approach? 11 12 THE COURT: Sure. 13 MR. DINTZER: Thank you. 14 CROSS-EXAMINATION OF P. PANDURANG NAYAK 15 BY MR. DINTZER: 16 Q. Good afternoon, sir. My name is Kenneth Dintzer. 17 Nice to meet you. 18 Nice to meet you. Α. 19 I'm going to start off with a broad question. How 20 much user data does Google maintain at this point? So if I put 21 in a search about Taylor Swift, how long will any system at all 22 in Google have that recorded? 23 I don't know if I can give a specific answer, but I 24 think to the extent that it is anonymized and de-identified, I 25 think they may well keep it -- I don't know that they would

1 delete it.
2 Q. O
3 A. W
4 Q. F
5 A. F
6 Q. I
7 A. B
8 long they
9 but I'm no

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

- Q. Oh, forever?
- A. Well --
- Q. Forever's a long time.
- A. Forever's a long time, so I would not say that.
- Q. Indefinitely?
- A. But, yeah, I'm not aware -- I'm not the expert on how long they store logs. So it's possible that they delete it, but I'm not aware of that.
- Q. Okay. And so -- and just to be clear, sir, you've never worked at Microsoft; is that right?
  - A. I have not worked at Microsoft.
- Q. And if I asked you detailed questions about how their search stack worked and the modules for it, you wouldn't know; is that right?
- A. I wouldn't -- I might hazard a guess, but that would be what it would be.
- Q. And it's true for both Google's and Bing's search systems, they're proprietary; is that right?
  - A. I think most of it is proprietary, yeah.
- Q. And so somebody who hasn't actually had realtime experience working on one of those systems wouldn't know how they work or how to work them; is that fair?
- A. Again, they might understand the overall principles, because those are sort of maybe well understood, but the

1 details, probably not.

- Q. And do you agree with the statement that Microsoft used more machine learning than Google in 2014 and 2015?
- A. We had heard that Microsoft uses a lot of machine learning, yes.
- Q. And had you heard that Microsoft was using more machine learning than Google in 2015, '16, '17?
  - A. No, that I had not heard.
- Q. You talked about Google's index size. In 2020, the index was about 400 billion documents; is that right?
  - A. I don't know the specific number, but maybe.
- Q. Okay. And -- but I think you testified that that number had come down; is that right?
- A. There was a period of time when that number did come down.
- Q. And the decrease in size was concerning to the engineers like you at Google?
  - A. Because we want to build a comprehensive index, yes.
  - Q. And all else equal, bigger is better for an index?
  - A. Other than the fact that all else is rarely equal --
  - Q. Fair enough.
- A. But we do want to create a comprehensive index, yes.

  I think that's fair to say.
- Q. And a bigger index -- wherever you're starting from, a bigger index requires more investment, right?

- A. It requires not just more investment, but bigger is not necessarily better, because you might fill it with junk.
  - Q. Well, fair enough.
- A. So you need a fair amount of investment to figure out what you're going to fill that with. And you can keep the size of the index the same if you decrease the amount of junk in it.
  - Q. Fair enough.
  - A. Which will still improve the quality of the index.
- Q. Fair enough. My question, though, is if you want to increase the amount of information that the index holds, you would have to spend more money, right?
- A. I mean, certainly that could be one way to do it.

  But, again, I think figuring out how to get more information in would be another way, right, by removing stuff that is not good information.
- **Q.** Has Google increased the overall carrying capacity of its index in the past three years?
  - **A.** The past three years?
- Q. Yeah. So just -- I understand what you're saying about quality, and that's not my question. If it held X at one time, over the past three years, do you know if its capacity is now X plus?
- A. So I don't know in the past three years if there's been a specific change in the size of the index.
  - Q. Now, on receiving a query -- and you talked about some

of this, Google uses the index to retrieve pages matching the 1 2 query, right? 3 Α. Yes. And typically there are many results matching the 4 Q. 5 query? 6 Α. Yes. 7 And a simple match could yield as many as 10,000,000 Q. results, right? 8 9 Α. Yes. 10 Okay. And I'm going to go to the demonstrative that Q. 11 you all prepared, which I think is really helpful. Let's go to 12 DXD17.002 -- and if I could convey upon you to pull it up. 13 So we were talking about how Google goes from one circle 14 to the other, as part of your direct. Google uses what you've 15 called core algorithms to bring that down to a set of about 200 16 documents, right? 17 Several hundred, yes. Α. 18 Several hundred. And I'm just going to write "core Q. 19 algorithm," because I'm probably going to use that. 20 And those algorithms give the documents initial rankings 21 or scores, right? 22 Α. Yes. 23 And once Google has the smaller set of documents, then Q.

the deep learning can be used to adjust the score?

A. Yes.

24

The core ranking algorithms are critical, because if 1 2 they don't bring up the right documents, then, for the most 3 part, the deep learning systems can't do their role in figuring out which are the best ones? 4 Some of the deep learning systems are also involved in 5 the retrieval process, like we discussed, like the RankEmbed 6 7 system. Q. Yes, but --8 9 A. But unless we retrieve the right documents, you can't 10 score them, yes. 11 But most of the retrieval process happens under the 12 core system, right? 13 A. Other than, as I said, the RankEmbed thing also does 14 retrieval. 15 THE COURT: I'm sorry, you said RankEmbed? 16 THE WITNESS: Yes. RankEmbed bought one of those deep 17 learning systems that also does retrieval. 18 BY MR. DINTZER: 19 Q. And we're going to come back to that. But the ranking 20 system -- the core gives them scoring, right? 21 A. Yes. 22 Q. And the core algorithms are critical, because if they

don't bring up the right documents, then even RankEmbed doesn't

go back and redo the work that the core system is supposed to

23

24

25

do, right?

1 I mean, it could, but -- so right now it doesn't, yes. Α. 2 Q. And at the end, each page that matches a query, it gets a score? 3 4 Α. Yes. 5 And then Google sorts the scores, and that's what's used in part for Google to present to the users? 6 7 A. Yes. Q. Now, Google puts a bunch of different things on its 8 9 SERP, right? 10 Α. Yes. 11 Q. And if I use this term SERP, we'll both understand 12 it's a search engine results page? 13 A. Yes. 14 Web results are only one of the things that come --15 that get shown on the SERP? 16 That is correct. Α. 17 Q. And web results are scored with something called an IR 18 score, right? 19 That's right. Α. 20 And IR stands for information retrieval? Q. 21 A. Yes. 22 Q. And you talked about search features earlier, and 23 search features are basically some of the other things that

appear on the SERP that are not the web results?

That is correct.

Α.

24

1 And those features get a different score, they don't 2 get an IR score, right? 3 They do get a score, but yes. So let's go to DXD1705, and this is one of the 4 Q. demonstratives. Just let me know when you're there, sir. 5 6 A. Oh, this one here? 7 Yes, sir. And you're welcome to use the screen. Q. 8 Α. Okay. 9 Q. And so just to level set here, what you've included --10 and I understand this was talking about the machine learning, 11 right? Yes, yes. 12 Α. 13 So you left off the core systems on this? Q. 14 A. Yes. 15 And so one of the core systems is navboost, right? Q. 16 Yes. Α. 17 And navboost dates to a long time ago, right? I Q. 18 mean --19 Α. Yes. 20 -- it's all the way off the screen? Q. 21 A. Yes. 22 So remind me, is navboost all the way back to 2005? Q. 23 It's somewhere in that range. It might even be before Α. 24 that.

Q. And it's been updated. It's not the same old navboost

that it was back then? 1 2 Α. No. 3 And another one is glue, right? Q. A. Glue is just another name for navboost that includes 4 5 all of the other features on the page. Q. Right. I was going to get there later, but we can do 6 7 that now. Navboost does web results, just like we discussed, 8 right? 9 A. Yes. 10 And glue does everything else that's on the page 11 that's not web results, right? 12 Α. That is correct. 13 Together they help find the stuff and rank the stuff Q. 14 that ultimately shows up on our SERP? 15 That is true. They're both signals into that, yes. Α. 16 Now let's go to DXD17-4. So navboost helps us get 17 from the green ring to the blue ring, right? 18 No, navboost doesn't help you -- I mean, it's 19 certainly a factor, but it's not the only factor by any means. 20 Q. Fair enough. Navboost and glue are factors that help 21 us get from the green ring to the blue ring? 22 Not glue, just navboost. Α.

Oh, because this is just web results?

Q. And glue works on all those other things?

23

24

25

Q.

Α.

Yes.

- A. Which is much later in the stack.
- Q. Okay. And this sort of helps -- the Court asked the question -- and I'm going to try to help us through the Court's question, which is: How do these pieces fit together?

So what happens is navboost is reaching in, and after the set has been culled to the green ring, navboost reaches in and helps pull us -- a lot of the documents, not all of them, into the blue ring; is that right?

- A. It helps. It's certainly a factor, yes.
- Q. And then in machine learning -- we're going to get to these -- they then take a look at that set, and they may say, well, we need a few more to add to it. But one of the things that they do is once you've got the navboost set, they then try to figure out which are the better ones and sort through them?
- A. I wouldn't characterize it as the navboost set, but once we get it down to the smaller set, that's what they work on. And navboost is a factor there, but by no means the only factor.
- Q. Now, the core ranking algorithms, those are -- I think you also, in your deposition, called them traditional systems, right?
- A. I don't remember that phrase, but maybe that's a reasonable characterization.
- Q. They need to operate with many more documents than the machine learning documents, right?

- 1
- A. Yes, that's correct.
- 2
- understand. These -- not glue. Navboost is looking at a lot

Q. And that's -- I think it's important for the Court to

4

3

- of documents and figuring out things about it. Machine
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- learning is kind of -- is more looking at a much smaller set, right?
- A. And it's not just navboost, it's all the other factors also, yes.
- THE COURT: Just to be clear, you're talking about in the -- what you've called the search stack, none of those are the sequencing?
- THE WITNESS: Yes. So it's the thing that culls from a lot of documents to fewer documents, yes.

## BY MR. DINTZER:

- Q. Now, the navboost system memorizes past clicks that have been issued for past queries, right?
  - A. Yes.
  - Q. It's trained on user data?
  - A. Yes, it is.
- And navboost memorizes this information for all queries received in the past 13 months?
  - A. That is correct.
- Q. And before 2017, navboost memorized this information for all queries received for 18 months?
  - A. Yes.

\_ .

Q. Now, you talked about reducing the amount of data used by navboost, I think that you looked at a document.

A. Yes, we did.

Q. And I'm going to ask if we can go back to that document, and that's DX108, please.

THE COURT: Can I interrupt for a moment, I'm sorry, just to make sure I understand this conceptually. I think you've already explained this, but what's the relationship between the index and the culling function that navboost is a signal for?

THE WITNESS: So when you have a query, you need to go and retrieve documents from the index that match the query. The core of that is the index itself. Remember, the index is for every word, what are the pages on which that word occurs. And so — this is called an inverted index for various reasons.

And so the core of the retrieval mechanism is looking at the words in the query, walking down the list — it's called the postings list — and intersecting the postings list. This is the core retrieval mechanism. And because you can't walk the lists all the way to the end because it will be too long, you sort the index in such a way that the likely good pages, which are high quality — so sometimes these are sorted by page rank, for example, that's been done in the past, are sort of earlier in the thing.

And once you've retrieved enough documents to get it down to tens of thousands, you hope that you have enough documents.

So this is the core of the retrieval mechanism, is using the index to walk down these postings lists and intersect them so that all the words in the query are retrieved.

THE COURT: And the ranking is done only after you have culled it to the tens of thousands?

THE WITNESS: Exactly. So that's -- the next phase is to say, okay, now I've got tens of thousands. Now I'm going to use a bunch of signals to rank them so that I get a smaller set of several hundred. And then I can send it on for the next phase of ranking which, among other things, uses the machine learning.

THE COURT: Okay, thank you.

## BY MR. DINTZER:

- Q. Let's see if I can get this right. So index, it gets culled to tens of thousands?
  - A. Yes.
- Q. Navboost gets us to 2- to 300? I understand it's imprecise.
- A. I wouldn't quite say navboost gets us to 2- to 300, because there might be lots of documents that don't have clicks. So I think it is fair to say that navboost is not the only factor.
- Q. Okay. And are there other core systems here that are taking us from 10K to 300?
  - A. Yes. All of our core topicality signals, our page

rank signals, our localization signals. There's all kinds of signals in there that look at these tens of thousands of documents and together create a score that then you extract the top few hundred from there.

- Q. And then deep learning gives it a chance to work with that?
  - A. Yeah.

- Q. And then we talked about glue. Glue gets a chance to add search features that aren't -- this is all just web, gets a chance to add search features that aren't retrieved through the web, right?
- A. So, once again, even for the search features that are added up, glue is one signal. It's not, again, the only signal. So I would not characterize it as glue gets to do this. It's the Tangram system that does that, which takes other inputs, other signals also.
  - Q. Right. Tangram used to be called Tetris, right?
  - A. Tangram used to be called Tetris, yes.
- Q. And it was called Tetris because it was putting the pieces together on the search engine results page?
  - A. Maybe.
- Q. Okay. But glue is giving one of the signals to figure out what are the best search features for the Tetris --
  - A. It's one of the signals, yes.
- Q. And then that finally takes us to the SERP?

- 1
- A. Yeah.
- 2

- Q. Now let's go to DX108. That will be in the binder that Google gave you. Let me know when you're there, sir.
- 4
- A. I am there.
- 5
- Q. Okay. And so this reduction that you talked about, this took place in 2017, right?
- 7

6

A. Yes.

experiments.

8

9

- Q. And Google ran several experiments before reducing the data used in its navboost model, right?
- 10
- A. I have not looked at this carefully to know if they
- 11
- did, but I'd be surprised if they did not run several
- 12
- Q. So you weren't involved in the 2017 ablation studies
- 14
- that led to the reduction?
- 15
- A. I was involved in the decision to launch it, but I did not actively work on it.
- 16
- Q. But you wouldn't have signed off on reducing the
- 18
- amount of data that navboost used without a fair amount of
- 19

20

A. Without adequate experimentation, yes.

experimentation to sign off on it, right?

- 21
- Q. And we can look at the document. The three types of
- 22
- experiments were -- involved random traffic evaluation
- 23
- A. Yes.

experiments, right?

- 2425
- Q. We can go to page 129.

- 1
- A. Yeah.
- 2
- Q. And if you look under the heading Overview.
- 3
- A. Yeah.
- **4** 5
- Q. Oh, I'm sorry, at the top, "experiment types," do you see that? We have the random traffic experiment?
- 6
- A. Yeah.
- 7
- Q. That was -- and then the second one was an impact to traffic. Do you see that?

sort of -- it's A/B, whatever the standard is and whatever it

wants to test against it, and it puts them together in front of

Q. And then the evaluator picks which of the two are

better on a side-by-side test, and it uses that information to

make sure that this is what it wants to do, right?

that was a big choice, a big decision, right?

And a side-by-side experiment is where Google takes

- 8
- A. Yes.

Yes.

the evaluator, right?

Yes.

Yes.

Α.

Α.

Α.

Q.

Α.

- 10
- Q. And that was a side-by-side experiment?
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25
- Q. An important one, right?

It was a decision.

- 2. Ill important one, right.
- A. I mean, there are many important decisions we take, so

And in deciding to go from 18 months to 13 months,

1 I wouldn't go there. But yes.

- Q. Well, I appreciate that you make many important decisions, sir. But the decision to reduce the amount of actual data that you're training navboost on, that was an important decision for Google, right?
- A. In the scheme of things, it wasn't so important, I don't think.
- Q. Okay. And so then the next thing that your team did -- or the people who worked for you did is they did a live experiment, right?
  - A. Okay, yes.
- Q. A live experiment is when some users are shown X and some users are shown Y, and you figure out if the users that are shown one or the other are getting the better or the same experience, right?
- A. Actually, these are so-called mode server experiments, where results from the two sides are interleaved in this way, and so users only see one ordering, which is the interleaved ordering.
- Q. I see. And then you figure out which ones they click on so you can use that to determine which are the better results?
- A. Yeah, and so there's a particular technique to decide which side is better.
  - Q. That would be an example of using click data to run an

1 experiment?

- A. Yes.
- Q. Now, users are served results in part based on their locale, right?
  - A. Yes.
- Q. The meaning of a word in a query can change depending on where you are?
  - A. Yes.
- Q. And if you're a football fan in the United States, you like to root for people to move something that's oblong and brown, and if you're a football fan in the UK, then you're rooting for somebody to kick something that's round, right?
  - **A.** Absolutely.
- Q. And if you're searching for pizza, the word "pizza,"
  you want a pizza restaurant -- I think you mentioned this in
  your direct -- around the corner from you, not necessarily the
  best pizza place in the world?
  - A. That's right.
- Q. And navboost, navboost slices -- I think you used the word "slice" in your deposition. Navboost slices the locale information; is that right?
  - A. The navboost does slice locale information, yes.
- Q. And it slices the data information that it has in it by locale?
- A. Yes.

1 Navboost also slices the data information by mobile 2 versus desktop? 3 Yes, it does. Α. And slicing here means that navboost is creating 4 5 different datasets for each of these categories? 6 That is correct. Α. Q. And there are differences in user intent on mobile and 7 desktop. I think you talked about this in your direct? 8 9 A. Yes. 10 Q. You used the Bank of America example? 11 A. Yes, I did. 12 To some extent, that's navboost, right, picking the --13 oh, we're looking for local branches, we're not looking for 14 online banking? 15 Navboost certainly provides that signal, yes. 16 Now, you're familiar with the Search Quality 17 Newsletter; is that right? 18 Yes, I am. Α. 19 Q. And what is it? 20 It's a newsletter I publish weekly. 21 The newsletters are usually an in-depth article going Q. 22 to the technical details of some particular subject; is that 23 right? 24 Yes, that is correct. Α.

And you view them as an educational tool?

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- Α. Yes.
- So we're going to go in the binder that I handed you. Q.
- In the binder that I handed you, we're going to go to 1087.
  - MR. DINTZER: This is admitted, Your Honor.
  - And just let me know when you have it there, sir.
  - Yes, I'm there. Α.
- Thank you. And so this is an example of the Q. newsletter from third quarter -- from August 11th to
- August 15th, 2014; is that right?
  - That is correct. Α.
- We're going to go to the page with the Bates number -it's three pages in, with the Bates number 1720 at the bottom.
  - Α. Yeah.
- Okay. And at the top it says -- and you would have seen this newsletter, right?
  - I would have seen it, yes.
- And it says: "Given this, a question which we need to Q. answer is whether mobile search is different from desktop search. If so, how are they different? To answer to this question, we conducted some analyses, focusing on user intents
  - Do you see that?
  - A. Yes.

and behaviors."

- Q. And that's what this article discusses, the analysis
- between mobile and desktop?

- A. Yes.
- Q. And then we go to the next page, X1721, and there's a heading that says: "Top intents of mobile dominant queries."
  - A. Yes.

Do you see that?

- Q. And what does "top intents" mean there, sir, just that term?
- A. I think they're looking at -- for queries that are mobile-dominant, what kind of intents do users have. Not for mobile queries at large, but queries that are mobile-dominant that is usually -- that the occurrence on mobile is meaningfully higher than on desktop.
- Q. Okay. And so the -- so we combined some things here.

  I just want to break them down. Is it the fact that they occur
  more on mobile or is it the fact that their being on mobile
  means something different than if they had been issued by a
  desktop?
- A. No, the mobile-dominant part says they occur more frequently on mobile. That's the table further up there.
- Q. And the top intent is that I have some sort of mobile intent when I put it in?
- A. So then the question is for those mobile-dominant queries, the question is what was the intent on those queries.
- Q. Okay. And then to the next page, we have the heading "Top intents of desktop-dominant queries."

Do you see that? 1 2 A. That is correct. 3 It says -- after the box it says: "The top intent Q. includes queries for work." 4 Do you see that? 5 6 A. Yes. "More specifically, there are many queries" -- "many 7 Q. queries related to professional or industrial office work, and 8 9 also queries for school homework." 10 Do you see that? 11 A. Yes. 12 So for people searching on desktop, they are more 13 likely to be at work or at school, right? 14 They could be at home, I guess. Α. 15 Q. But doing homework or schoolwork? 16 I think people other than students are also at home 17 doing other kinds of research. I would not generalize to say 18 that the only desktop users are students. 19 Q. Well, that's fair. But it does say that there are 20 many queries related to professional or industrial office 21 work --22 A. Certainly, there are many queries, but there are many

Q. Fair enough. No, you're right. I didn't mean to

gather all the queries and just put them in as workers. Now,

other queries also is what it says.

23

24

for people searching -- I'm sorry, for each query, Google 1 2 tracks what kind of device it is made on. That's how it knows 3 the difference between desktop and mobile, right? 4 Α. Yes. 5 Q. And if we go to the bottom of this page, it says: "Divergence in intent for common mobile desktop queries." 6 7 Do you see that? 8 Α. Yes. 9 Q. And this is going to flop over to the next page: 10 "This group is probably the most interesting slice, because 11 mobile users sometimes have different intents, even for the 12 same queries." 13 And that's what you were talking about, right? 14 A. That's right.

- And I think doing the math you said it was 6 percent on one and 6 percent on the other, right? Is that what -- I didn't -- that's not in the document, I didn't --
- A. Well, I was referring to this other chart here, actually, that I got the number slightly off. But you see the desktop-dominant, mobile-dominant and the mixture queries, which occur sort of with the same frequency, that was roughly the 66 --
  - Q. Okay, so --

15

16

17

18

19

20

21

22

23

24

- -- 86, this is what I was referring to.
- And you got there before me so I couldn't tell you,

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

we're not really supposed to say those numbers, but okay.

So those indicate -- I'm not going to repeat them, but those numbers indicate which ones are desktop-dominant, which ones are mobile-dominant, that are the same types of queries but people have a desktop or mobile interest in those, right?

- The way to think about this is these are queries that occur both on desktop and mobile, because most queries occur both on desktop and mobile. But these are queries where the number of -- the relative amount of queries on -- the distribution on mobile is higher for these queries, for the mobile-dominant queries, than on desktop; that is, the fraction of occurrence of these queries on desktop is lower.
- Q. Oh, so that's all you were saying. You were talking about the percentage -- frequency of these queries?
  - That's roughly what this is saying. Α.
- No, but earlier in your -- when you were testifying when Google was asking you questions, when you talked about this, you talked about a 6 percent and a 6 percent. Is that what you were talking about?
  - That's what I was talking about. Α.
  - I see, okay. Q.
  - I might have been confused a little. Α.
  - No, I --Q.
- This is what -- I was referring to this particular table.

Τ

Q. Okay, I misunderstood. I appreciate that, sir.

Now, it's important for Google or any general search engine to get it right and to know which -- for those ones that are overlapped, the ones where it's the same term but it means it did something different on mobile and desktop, it's important that a general search engine can get that right, correct?

A. I mean, it's, again, important on the borders. If you think about it, suppose you get it wrong, right. So suppose you use -- let's say on mobile, let's go back to the Bank of America case, right. And you didn't get it that users were looking for the map, the locations of ATMs, and you use -- essentially use the desktop model. Then you'd have shown the Bank of America home page at the top, and then you'd have shown the map. From an overall user perspective, it's not that big a loss. You scroll a little bit and you get the map that you're looking for.

So -- but on the borders, we would, of course, prefer to show the map first because we want to optimize it. My only point is if we don't optimize it, it's not that big a loss, because users can still get to the information they're looking for with a little scrolling.

- Q. Do you know if that secondary information would be on the main screen when they pulled it up on their phone?
  - A. I don't know whether it would be on -- like, if it

1 went the other way, if the map was much larger, if we took the 2 mobile model and brought it to -- well, the desktop, of course, 3 is bigger. So it will depend on the specifics of the details. Sometimes it might be on the second screen or whatever. That 4 will depend on the specifics of it. But a little bit of 5 scrolling will get them where they want. We, of course, would 6 7 like it to be as optimal as possible, so I don't want to minimize that. But in the scheme of things, it's not as if 8 9 users won't be served with the slightly less optimal solution.

- Q. Now, beyond the difference between desktop and mobile, people in different locales could have the different intent with the same search term, right?
  - A. So this was your football example, for example, yes.
- Q. Or even more specifically -- and I'm talking here now, we're looking at the document. We're at page X1723 at the bottom. It says, in the first example, bracket Norton Pub: "Desktop users meant Norton Publishing Company, whereas mobile users wanted to find a pub named Norton"?
  - A. Yes.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

- Q. And then farther down it says: "For example, in mobile navboost."
- So Google has a specific navboost for mobile; is that right?
  - A. It's one of the slices, yes.
  - Q. That's the mobile slice?

- 1

Α.

- 2
- 3 4
- Α.

Yes.

5

6

7

8

9

10

11

12

13

14

15

16

17

18 19

20

21

22

23

24

25

- And that's also true on user data, right? Q.
- Yes, yes, it is navboost.
- "For example, in mobile navboost, the top and third Q. clicked results for Norton Pub are about a pub in Rochester, New York. For people in Rochester, the recommended result looks good, but people not in the Rochester, New York, area are not likely to be satisfied with this. People outside Rochester would want other Norton Pubs in their regions, if any, or prefer the Norton Publishing site, even, when searching on a

Do you see that?

mobile phone."

- I followed everything you said, but I wasn't sure --
- Q. Oh, I'm sorry, it was at the bottom of 1723 and the top of 1724.
  - Oh, I see it here. Yes, yes, okay.
- And the whole point is beyond just different countries and different meanings of terms, actually localized data of whether I'm in Rochester, New York or Southern California, what I mean when I'm searching for Norton Pub may be completely different?
  - That is true. Α.
- Now, you talked about the importance of evaluation as part of the search product development?
  - If I can go back to your question for --

- Q. Sure.
- A. -- just a moment.
- Q. Please.
- A. I think you're absolutely right that location matters, and this was the point I was making: That when you're in Rochester, New York, right, we need some way to, in that case, retrieve the Norton Pub document. So we have a whole bunch of techniques to retrieve businesses close to your particular location so that users can get served. Remember, you get navboost only after they're retrieved in the first place.
- Q. You're saying that's sort of the first culling of documents?
- A. It's in the first culling of documents, is you make sure that you get these local documents coming in, and then you present them to the user and they can interact with it and create navboost and so forth.
- Q. Is one of the things that's helpful for Google when local storekeepers go on their website and, like, update the times that they're open and stuff like that, provide that kind of information?
- A. I mean, that is a useful thing for a user, certainly.

  I'm not sure what your question actually is referring to.
  - Q. Well, actually, I'll come back to that.
- So I was turning to the evaluation. Evaluation is a critical part of the search product development; is that right?

- 1
- Yes, it is. Α.
- 2
- And you talked about the IS score on your direct exam? Q.
- 3
- Yes, I did. Α.
- 4
- And that score is computed from rater rankings, right? Q.
- 5
- Yes, it is. Α.
- 6
- So that's always a human metric? Q.
- 7
- Yes, it is. A.
- 8

- And I think we had a demonstrative that I wanted to ask you about. If we could go to DXD17.003.
- 10
- Which folder is this in? Α.
- 11
- I'm sorry, this is in -- it's in the black binder, the Q. slides.
- 12
- Α. This one?
- 14

13

- Q. Yes, sir. Thank you.
- 15
- What was it, 17? Α.
- 16
- It should be the second page in there, sir, 17.003. Q.
- 17
- A. Yeah.

A. Yes.

- 18
- The "regularly test search quality," do you see that? Q.
- 19
- 20
- And I think you said you had 16,000 human testers
- 21
- That is correct. Α.

around the world; is that right?

23

- I'm just trying to understand some of these numbers.
- 24
- The blue bar, let's say from 2020, human rater quality tests,
- 25 what qualifies as a human rater quality test?

- A. So we have query sets created in various ways as samples of our query stream where we have results that have been rated by raters. And we use this -- these query sets as a way of rapidly experimenting with any ranking change.
- Q. I think we should capture that just so we understand. So what you do is you have a query set, and it may be -- how many are in a query set?
  - A. It depends on the particular query set.
- Q. Are we talking dozens or hundreds, just so I can get the --
  - A. No, let's say 15,000.
- Q. Okay, 15,000. And so you run this through the system, and you get output that you then have human raters look at, right?
- A. Yeah, so these are constantly running in general, so raters have already given ratings for some of them. You might run an experiment that brings up additional results, and then you'd get those rated.
- Q. Okay. So you have basically a place where you have put the past evaluations of these 15,000 queries, put them into a box?
  - A. Yeah.
- Q. And then if you've got an engineer here who is very interested about this experiment or that experiment, they do that experiment by looking at some of these experiments that

you've already put in the box?

A. No, we haven't put the experiments in the box. Maybe I misunderstood what the box was.

Q. I just want to make sure I understand what the 757,000 is. This doesn't mean 757,000 times you've organized groups of people to look at output, right?

A. No. What -- so here's the thing: Let's say we have a query set of let's say 15,000 queries, all right.

Q. Yes.

A. We look at all the results for these 15,000 queries.

Q. Okay.

A. And we get them rated by our raters.

Q. So human raters are looking at these?

A. At all of them, and they provide ratings. So you get an IS score for the query set as a whole.

Q. For the set?

A. Yeah, you get an IS score for that. Then along comes an engineer, and they've got a clever idea for improving ranking.

Q. Right.

A. So they implement their change. And they come to this query set, and they run this query set through that change and get a different set of results -- or maybe the same set of results in a different ordering.

Q. I see.

A. They've got something going. Now, of this, many of the results that they produce we already have ratings from the past, right. And there will be some results that they won't have ratings on. So we'll send those to raters to say tell us about this. So now all the results have ratings again, and so we'll get an IS score for the experimental set.

Q. So this number, 616,386, these are not unique experiments where the entire 15,000 set is ranked and scored again?

A. They're ranked -- not by raters, because there's a lot of overlap. Changes don't change everything, right. That wouldn't be a very good situation to have, right. So most changes change a few results. Maybe they change the ordering of results, in which case you don't even have to get new ratings, or sometimes they add new results and you get ratings for those. So it's a very powerful way of being able to iterate rapidly on experimental changes.

- Q. Okay. So now --
- A. That's why there are so many of them.
- Q. And I didn't mean to interrupt. I apologize, sir.

So now let's talk about the human raters side-by-side.

Those are ones where people actually get the two, the old and the one being tested side-by-side, and human beings have to actually score every single one of those --

A. That is correct.

- 1
- **Q.** -- to figure out?
- 2

- That is correct, which is why there are many fewer of those, because that's a slower process.
- 4
- Q. But when you decide to do it, you decide -- Google decides to invest money in having that --
- 5
- **A.** Yes --
- 7

6

- Q. -- process done?
- 8
- A. -- absolutely.
- 9

10

- THE COURT: Sorry to interrupt, but just help me understand what the unit is here. In other words, 616,386 what?
- 11
- 12 THE WITNESS: Experiments.
- 13
- **THE COURT:** Those are individual experiments?
- 14
- THE WITNESS: Individual experiments. Because they're
- 15
- THE COURT: I see. These are just --

easy to run, people tend to run a lot of them.

- 16 17
- THE WITNESS: You just run it on your machine, you get a
- 18
- new ranking. Usually, it doesn't require any or very little
- 19
- back and forth between trying an idea and seeing whether it's

additional human rater information. So it allows a very rapid

- 20 21
- any good. That's why there are so many of them here.
- 22
- THE COURT: I see. And would it be accurate to say that

something that falls in this column is less likely to need

- 23
- 24 approval, for example, to run those than something that is a
- 25
- live experiment?

THE WITNESS: It is true that live experiments require

more approval of various sorts. The ones in the blue column

require very little or no approval. As a rule, we try to make

experimentation as easy as possible, because we want people to

experiment. So there is that.

THE COURT: Gotcha, okay. Thank you.

## BY MR. DINTZER:

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

- Q. So IS is Google's primary top level measure of quality, right?
  - A. Yes.
- Q. And sometimes IS-scored documents are fed -- used to train the different modules, models in Google search stack, right?
  - A. Yes.
- Q. And sometimes -- and -- but IS rating has different pros and cons compared to using click data to train those same systems, right?
  - A. Yes.
- Q. One advantage of click data has over IS data is clicks give a measure of the actual user performance?
  - A. That is correct.
- Q. So if I want to know how to hang a 500-pound picture and I've had some experience doing that before, when I'm looking at the search results, I bring that knowledge to it, whereas the human rater is looking at something, and they may

not know how to evaluate those search results?

- A. That is correct. But what we ask the human raters to do is to put themselves in the shoes of the typical user that might be there, because the raters are a representation of the users. And most users maybe are unlikely to have had the experience of hanging a 500-pound piece of art, and so they might actually be interested in understanding the steps.

  Certainly, an expert user who knows all about how to do this may not find those results as compelling because they might be looking for something more advanced. That is certainly true, because none of this talks about personalizing results to a particular user. But raters are supposed to be a representation of what users -- your general user is looking for.
  - Q. But users have a better sense of what they intend when they issue the query than a rater can possibly have?
  - A. That is -- every user clearly comes with an intent, which you can only hope to guess.
  - Q. So IS data is more coarse than search results; is that correct?
    - A. Is more coarse than?
    - Q. Yes.
    - A. Than what?
    - Q. Search results.
- **A.** IS data is actually rating of search results, so I'm

1 not sure I --

- Q. Let me try --
  - A. -- quite understood --
- Q. I'll come back to that one, that's fair. That's a -I need to come back to that.

Now, for the longest time, Google didn't use a lot of -where are we -- oh, there we go, a lot of machine learning; is
that right?

- A. For a lot of time?
- Q. For a long time, up until -- up until what year did Google start using machine learning?
- A. So it depends on what we mean by machine learning.

  Certainly, for deep learning -- which is what we talked about at length this morning, we started using deep learning in 2015. But other forms of machine learning, things like decision trees and other methods for machine learning, we had started using earlier.
- Q. And you believe that the core systems and the machine learning systems, that they're complementary, right?
- A. I mean, in some ways they can also refer to -- point in the same direction. They're not necessarily complementary. They're additive, certainly.
- **Q.** And they all contribute in different ways to the final ranking score?
- A. Yes, that is true.

The main three deep learning models Google uses in 1 2 ranking are RankBrain; is that right? 3 Α. Yes. Q. DeepRank? 4 5 A. Yes. Q. And RankEmbed BERT, right? 6 7 A. Yes, yes. These three deep learning models are trained in part 8 Q. 9 on click and query data; is that right? 10 Α. That is correct. So let's start with RankBrain. RankBrain looks at the 11 12 top 20 or 30 documents and may adjust their initial score; is 13 that right? 14 A. That is correct. 15 Q. And RankBrain is an expensive process to run? 16 It's certainly more expensive than some of our other 17 ranking components. 18 So that's, in part, one of the reasons why you just 19 wait until you're down to the final 20 or 30 before you run 20 RankBrain? 21 A. That is correct. 22 RankBrain is too expensive to run on hundreds or Q. 23 thousands of results? 24 A. That is correct.

Q. RankBrain is trained on queries across all languages

and locales Google operates in? 1 2 I think so, yes. Α. 3 Q. And then it's fine-tuned on IS data? A. That is correct. 4 5 Q. But it is not possible to train RankBrain on only human rater data, right? 6 7 No, you can't. Α. And each time RankBrain is retrained, it is with fresh 8 9 data, right? 10 It's with -- yes, with new data, yeah. Q. And for years, RankBrain was trained on 13 months 11 12 worth of click and query data; is that right? 13 A. I think initially it started with the same amount as 14 navboost, yes.

MR. SMURZYNSKI: Your Honor, if I could just -- a number

of these months, days and what, we've already deliberately put

in redacted boxes in the exam we did this morning. So I'd just

looking at a document. I will make an effort. I understand.

Q. Now, RankBrain needs to be trained at a regular

cadence, because otherwise it would be blind to new events,

A. That certainly is a factor, yes.

MR. DINTZER: No, no, I appreciate -- it's easier when I'm

Q. And now --

15

16

17

18

19

20

21

22

23

24

25

caution.

right?

1 Now, you discussed DeepRank in your testimony; is that 2 right? 3 Yes, I did. Α. And you also discussed BERT, right? 4 Q. 5 Α. Yes. And an application of BERT, when BERT is used for 6 Q. 7 ranking, is DeepRank, right? 8 Α. Yes. 9 Q. And so, I mean, it's not BERT, but it is -- it's from 10 BERT. When BERT is used for ranking, that's DeepRank? 11 Α. That's correct. 12 And DeepRank is trained on user data? Q. 13 A. Yes, it is. 14 Q. Let's go to UPX860. 15 THE COURT: Can I interject with a question. When you 16 talk about a number of months of user data, can you tell me 17 what that means? Are we talking about quite literally all of 18 the data that Google has collected over 13 months or is it 19 something else? 20 THE WITNESS: It's the queries and the clicks that 21 occurred over that period of time. 22 THE COURT: From all users? 23 THE WITNESS: From all users. You might train the model 24 on only some subset of the users, such as, say, U.S. users if

you're launching just a U.S. model. But to the extent that

1 you're launching a global model, it will look at all users,
2 yes.

THE COURT: Understood, thank you.

## BY MR. DINTZER:

- Q. The thing about -- I'm just going to jump off of that question. When you think about months of data -- so if we think about sort of the unit of a month, Google -- a month of data today is not the exact same measurement as a month of data three years ago, right?
  - A. It's not exactly the same, no.
- Q. Because as Google's usage has gone up, that same month will have more clicks and queries in it, right?
  - A. It will have somewhat more clicks and queries, yes.
- Q. So if we measured -- if we compared a month of Google data and a month of Bing data -- I'm not going to ask you for the multiple, I'm going to provide it as just a hypothetical. Let's just say, hypothetically, Google had seven times as many clicks and queries as Google in a given month. That month of data for Google is seven times bigger than the month of data for Bing, because it's not an absolute measurement, it's just how much was gathered in that month, right?
  - A. If that number is correct, then yes.
- Q. I just wanted to get -- because we're talking about months, but in reality we're talking about -- what's the measure when you get to this volume? Is it -- it's not

1 terabytes, it's --

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

20

21

- A. I don't know what the number is.
- Q. Anyways, it's off the charts. But it's not the same for each general search engine, right?
  - A. That is true.
- Q. So we were on UPX860. You're familiar with this document, sir; is that right?
  - A. I don't know. I mean, I may have seen it, but --
- Q. Apparently this was a presentation -- I can show you the cover -- this was a presentation made in 2019?
- A. Okay.
  - Q. Okay. So let's go to...
- MR. DINTZER: We'll offer this, Your Honor. My notes are shy here, so it's unclear whether it's in, but we'll offer.
- MR. SMURZYNSKI: We have no objection.
  - THE COURT: So UPX860 will be admitted, if it's not already.
- **18** (Exhibit UPX860 admitted into evidence)
- 19 MR. DINTZER: Thank you, Your Honor.
  - Q. So this is a 2019 review, and we talked about

    DeepRank. And so let's go to 4417, at the bottom; it's slide

    eight. It says: "Serving DeepRank at the top."
- 23 Do you see that?
- **24 A.** Yes.
- Q. And we've kept the numbers off, so we're not going to

6436 say the numbers. It says -- the second bullet -- the first 1 2 bullet under the second black bullet, it says: "RankBrain understands long-tail user need as it trains on" -- and I can't 3 say the number, but it's not an actual number, it's a greater 4 than number. 5 Do you see that? 6 7 A. Yes, I do. Q. Of data, okay. So -- and when it says "trains on," 8 9 that means that data is used to train the system; is that

A. Yes.

right?

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q. And then it says: "DeepRank understands language and has common-sense."

- A. Yes.
- Q. And those are two different things. The ranking part and the understanding language part, those are two separate things, right?
- A. The understanding language leads to ranking. DeepRank does ranking also.
- Q. But they are related, but they're not -- this is referring to them as separate items, correct?
- A. I mean, both RankBrain and DeepRank do ranking, so I'm not sure that they're separate items. So maybe you're talking about something else.

So let's go to the next -- the black bullet. 1 2 THE COURT: I'm sorry, the number that's in the box that 3 you just testified about, that is a magnitude relative to what? THE WITNESS: So I don't actually recognize this number. 4 And certainly, in its current usage, it's more like 2X or some 5 small number of X. So I don't recognize the number in here, 6 7 but that's what it says here, so I'll take it. BY MR. DINTZER: 8 9 Q. And at the top there -- of that bullet it says: "Does 10 DeepRank replace RankBrain? No, complementary strengths." 11 Do you see that? 12 A. Yes. 13 Q. And you agree with that? 14 A. Yeah, I mean, I think that's not an unreasonable 15 position, though over time they are becoming less 16 complementary. At the time that this was done, maybe they were 17 more complementary, but DeepRank is taking on more and more of 18 that capability now. 19 Q. The next black bullet there says: "Are we turning 20 ranking entirely over to deep learning?" 21 And the answer, I think you said the same thing today, is

Q. And the reason explains is: "Still a black box, various protections to limit model."

22

23

24

25

no?

A. Yeah.

1 Do you see that?

- A. Yes.
- Q. And the deep learning was a black box back then, and Google didn't want to trust it with all of its analysis, correct?
- A. I think it's risky for Google -- or for anyone else, for that matter, to turn over everything to a system like these deep learning systems as an end-to-end top level function. I think it makes it very hard to control.
- Q. And then the next point is: "Deep learning still doesn't scale as well as traditional data mining."

- A. Yes.
- Q. And traditional data mining, that's click query data, right?
- A. I don't know exactly what is meant there. I think of data mining as looking at data and extracting insights that you might use in certain ways. So the way we used to traditionally build ranking systems was looking at data to carefully craft ranking functions. I would call that data mining also. So I'm not sure exactly what is meant in this document here.
  - Q. But what you're describing is navboost, right?
- A. No, no, no. Navboost could be one thing, but even the careful ranking functions that we created as a form of data mining where we looked at data to figure out like how can we

generalize what we are seeing, the data need not be a lot of 1 2 data. It could be a small amount of data. You're trying to 3 extract insights that allow you to generalize. So data mining is a very broad term in that sense. 4 Q. Okay. No further questions on that document, sir. If 5 you could go to DX134. 6 A. Is that in --7 Q. That's in the binder that the defendants gave you. 8 9 It's the zero to BERT document. 10 A. Yeah. 11 Q. And let's go to Page 5213. Just let me know when 12 you're there. 13 A. Yeah. 14 MR. DINTZER: And can I say this one? I will check with 15 counsel, because --16 MR. SMURZYNSKI: You can proceed on this one, yes. 17 BY MR. DINTZER: 18 Q. Okay. The second bullet here says -- under the 19 heading of "training," it says: "We can potentially do even 20 better by pre-training on our own task-specific large corpus." 21 Do you see that? 22 A. Yes. "User feedback at the core of most search systems." 23 24 Do you see that?

25

A. Yes, I see that.

1 When it says user feedback, that's click data, right? Q. 2 That is correct. Α. 3 And then if we can go to page 5231 of this document. Q. Just let me know when you're there. 4 5 Α. Yes. Q. And it says "BERT limitations." So you talked about 6 7 BERT with the Court, right? A. Excuse me? 8 9 Q. You talked about BERT with the Court? 10 A. Yes, I did. 11 And this page has some of BERT's limitations. I hate 12 to down-talk the old guy, but -- so the third bullet here says: 13 "Does not subsume big memorization systems, navboost, QBST, et 14 cetera." 15 Do you see that? 16 A. Yes, I do. 17 Q. And that's accurate, right? 18 A. I mean, it does not subsume memorization, no. 19 Q. And then we go to 5233. And let me know when you're 20 there. 21 A. Yes. 22 And it says -- at the second bullet it says -- the 23 heading is: "Rapid progress is straining evaluation." 24 Do you see that?

A. Yes.

Q. "Performance that rocks metrics may not impress users." And then under that it says: "Users may have hours to years of experience on a query topic. Raters have only a few minutes to grasp the topic."

- A. Yes, I do.
- Q. And that touches on one of the things we talked about, how humans working as actual users evaluate results in a different way than human raters, right?
- A. They definitely do. I mean, they have different context, yes.
- Q. And so even a system that scores high on an IS, that might not translate to actual quality for the actual users, correct?
  - A. It might not.
  - Q. No further questions on that document.
- A. And yet I will say it seems to. This is what the growth experiments showed, that people actually like improvements in IS and they use search more. Specific users may not be satisfied by the IS improvement, absolutely. But in aggregate, it appears that IS is well correlated with helpfulness to users at large.
- Q. And when you say in the aggregate, that means across the entire corpus of Google users, correct?
  - A. Across the corpus of Google users, yes.

Q. Let's go to UPX2029. 1 2 A. Is that in --3 Q. That is in the binder that we have given you. Just let me know when you're there, sir. 4 5 A. Yes, I am. MR. DINTZER: Your Honor, we'll offer this. 6 7 **THE WITNESS:** Excuse me? MR. DINTZER: I'm sorry, just one second. 8 9 THE COURT: That was directed to me. 10 MR. DINTZER: That's not a question for you, sir. 11 THE COURT: Any objection, counsel? 12 MR. SMURZYNSKI: It's just going to take me a second, 13 because we got this last night at 10:00 o'clock. But I think 14 it's --15 MR. DINTZER: We can go ahead, Your Honor, and we can jump 16 back to them afterwards, if that's okay with the Court. 17 MR. SMURZYNSKI: We have no objection. 18 THE COURT: Okay, terrific. Thank you, counsel. BY MR. DINTZER: 19 20 So what is this document, sir? Do you recognize it? Q. 21 It looks like a ranking newsletter. A. 22 And is this something you would see in the normal Q. 23 course? 24 A. Yes, I would. 25 Q. And let's go to the second page of it, 8074.

- A. Yes.
- Q. And the heading is: Why DeepRank?

Do you see that?

- A. Yes, I do.
- Q. And it says: "We started using deep learning and web ranking several years ago, first in the form of RankBrain and then RankEmbed. DeepRank is the latest edition to this family of systems."

Do you see that?

- A. Yes, I do.
- Q. And these are machine learning systems, the ones we've talked about, right?
  - A. Yes.
- Q. And then it says -- a little bit farther down in the middle of the next paragraph -- actually, let's stay there.

  The next sentence says: "DeepRank not only gives significant relevance gains, but also ties ranking more tightly to the broader field of language understanding."

Do you see that?

- A. Yes, I do.
- Q. Go down to the middle paragraph in the next -- the middle of the paragraph. It says: "Effective ranking seems to require some amount of language understanding paired with as much world knowledge as possible."

- A. I'm not sure I see it. I believe what you said --
- Q. No, of course, and it's right in the middle of that -the second paragraph under "Why DeepRank?"
  - A. Oh, yes, I see it. Yes.
- Q. So to rank organic links, the system needs both language understanding and world knowledge?
  - A. Yes.
  - Q. And DeepRank does the language understanding, right?
- A. Well, I mean, it's -- that's probably an oversimplification. I suspect it also has world knowledge built into it.
  - Q. You don't know?
- A. I mean, this is what the network learned. This is part of --
  - Q. The black box?
- A. Exactly. So it's learned something about language understanding, and I'm confident it learned something about world knowledge, but I would be hard-pressed to give you a crisp statement on these. These are sort of inferred kind of things.
- Q. Let's go farther down. The paragraph that begins "in general," do you see that?
  - A. Yes.
- Q. "In general, effective language understanding seems to require deep computation and a modest amount of data."

Do you agree with that sentence?

2

A. Yeah, for some definition of modest, I suppose.

3

all about data; the more the better."

4

Do you see that?

5

A. I see that, yes.

7

Q. World knowledge part of ranking requires data, right?

The next part is: "In contrast, world knowledge is

8

A. Yes.

Q.

9

Q. No further questions on that document, sir.

10

THE COURT: I'm sorry, in this context, what does "world

11

knowledge" mean in this context?

12

THE WITNESS: That's a very good question. One of the interesting things is you get a lot of world knowledge from the

13

web. And today, with these large language models that are

14 15

trained on the web -- you've seen ChatGPT, Bard and so forth,

16

they have a lot of world knowledge because they're trained on

17

the web. So you need that data. They know all kinds of

18

specific facts about it. But you need something like this.

19

In search, you can get the world knowledge because you

20

have an index and you retrieve documents, and those documents

21

that you retrieve gives you world knowledge as to what's going

22

on. But world knowledge is deep and complicated and complex,

23

and so that's -- you need some way to get at that.

24

## BY MR. DINTZER:

25

Q. We'll come back to this, but Bard is not part of

Google's search systems, right? 1 2 Bard is not part of Google's search systems, no. 3 And am I right that for launches, Google combines Q. different sources of data to make an informed decision about 4 whether to go forward? 5 Yes, that's correct. 6 Α. 7 Q. And these data come from human evaluations, live traffic -- and live traffic studies; is that right? 8 9 That, and together with things like capacity and cost 10 and those types of things. And complexity, we look at that, 11 too.

And I think -- did you say cost?

latency and those types of factors.

questions, sir. We're at page 8075.

The expense of running these things in terms of

Q. But also just the cost of paying for whatever the

of cost per se. We have a certain capacity. We have a certain

latency budget. We want to keep it within all of those things.

A. We think of it as capacity. We don't think in terms

Q. Okay. So your capacity budget is dollar constrained,

I'm sure there is some constraint of that sort.

Q. So still on UPX2029, I just have one more set of

Q.

system is that --

though, correct?

A. Yeah.

12

13

14

15

16

17

18

19

20

21

22

23

24

1 Q. It has a bullet: DeepRank.

Do you see that?

A. Yes.

Q. And in the middle of that paragraph it says: "Training is also more computationally expensive."

Do you see that?

A. Yes.

Q. What does that mean, computationally expensive?

A. So training -- so DeepRank is this bold model which uses these transformers which looks at the sequences of things. And it needs to look at -- it turns out training transformer models is more expensive than training straightforward feedforward networks like Google Brain or RankBrain. Those are cheaper to train. And so you need -- it's more expensive in that sense, more computation is required. Because, in a sense, it's solving a harder problem.

Q. And then the next paragraph begins: "Consequently, DeepRank seems to have the capacity to learn the understanding of language and common-sense that raters rely on to guesstimate relevance, but not nearly enough capacity to learn the vast amount of world knowledge needed to completely encode user preferences."

- A. I see that, yes.
- Q. And because of the cost of training DeepRank, it

doesn't have the deep world knowledge element that we've been talking about; is that right?

- A. That's what this is implying. Whether it could or not is a separate question. Whether, in fact, if you just trained on logs you could do this. But after all, the same kinds of transformer-based models today are being trained for ChatGPT, for Bard, for SGE and so forth, on web data to give them the world knowledge. So I don't think this is a claim about whether it's possible or not here.
  - Q. Right, it's just talking about the expense?
  - A. To the extent that we could use it, yes.
- Q. Another deep learning system Google uses in search is called the RankEmbed BERT. We've got that one there. That one doesn't roll off the tongue.
- A. Well, it's RankEmbed that was augmented to use the BERT algorithm, the BERT structure, that's what it is.

  RankEmbed was launched earlier without BERT. RankEmbed BERT augmented it with BERT so it was even better at understanding the language.
- Q. And I'm not going to say the percentage, because I think that those are off limits, but RankEmbed BERT is trained on click and query data, right?
  - A. Yes, it is.
  - Q. And then it's fine-tuned on human IS rater data?
  - A. Yes, it is.

reflects the fresh events?

months of data to train them on?

3

A. Yes.

4 5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q. Getting back to, you know, we talked about this idea that a month of Google data is different than a month of Bing data. If Google had a smaller amount of data per month, and it had to use more months to train its machine language so that it

And it needs to be retrained so that the training data

would be less fresh, right, because you would have to have more

kept the amount of data that it was getting constant, that

- I mean, that's not immediately clear, because as long as you have the data from the freshest month, you get a taste for that fresh data. Whether that's enough or not is an empirical question.
  - Q. You don't know the answer to that question?
  - I don't know the answer to that question.
- Q. But the quality of RankEmbed BERT can slowly start to go down if you're not retraining it with fresh data; is that right?
- Just going back to that previous question, it's worth noting that when we trained RankEmbed BERT, we'd take a small fraction of the traffic. So that's an example of where you don't need all of those -- whatever the days of data that was -- that DeepRank used, it took some small fraction of that traffic. So having some exposure to the fresh data is actually

quite valuable, which is why I'm saying it's an empirical
question as to whether it is enough or not.

Q. Okay.

- A. And not one that I can blanket make a statement about.
- Q. All things being equal, though, more -- data that is fresher is more valuable than data that is older?
- A. Not always the case, as we've discussed in the case of the turkey recipe. The fresh data may not be the best data, so all things in that sense are rarely equal.
- Q. Okay. User data, click and query data, fresher user click and query data is typically more valuable than older click and query data, correct?
- A. Again, it depends on the query, and it depends on this thing -- there are situations where the older data is actually more valuable. So I think these are all sort of empirical questions to say, well, what exactly is happening. There are clearly situations where fresh data is better, but there are also cases where the older data is more valuable.
- Q. Does Google have a way of measuring how valuable the older data is?
  - A. We have not explicitly measured that.
- Q. Traditional systems work by looking up documents in the index, like you would use an index in the back of a book; is that right?
  - A. That is correct.

1 Q. The core ranking algorithms retrieve most of the documents that will be scored? 2 3 A. Yes. Q. RankEmbed identifies a few more documents to add those 4 5 identified by the traditional retrieval? 6 That is correct, for some definition of few. Α. 7 Q. You talked about MUM; is that right? A. That is correct. 8 9 Q. If we could go to UPX2034. This is something you 10 wrote in 2021; is that right? 11 A. Just a moment. 12 Q. Oh, please, take your time. 13 Ah, yes. Α. 14 So this is a blog post you wrote; is that right? Q. 15 A. Yes. 16 Q. And this is about MUM, right? 17 A. Yes, it is. 18 Q. And if we could go to the third page of this, of UPX... 19 20 MR. DINTZER: Oh, well, we'll offer it. This is from 21 Google's website. 22 MR. SMURZYNSKI: No objection. 23 THE COURT: Okay, it will be admitted. 24 (Exhibit UPX2034 admitted into evidence) 25 BY MR. DINTZER:

- Q. At the top of the third page you write: "Training and running advanced AI models can be energy consumptive"?
  - A. Yes.
- Q. That's because there's a lot of computation going on there, right?
  - A. Yes.
  - Q. And that can be expensive?
  - A. Yes.
- Q. And then you write: "Another benefit of training smaller application-specific models is that the energy costs of the larger base model, such as MUM, are amortized over many other different applications."

- A. That is correct.
- Q. And running the AI model, such as MUM, can be more expensive than the core models that we looked at?
- A. Yes. I just want to emphasize that the difficulty -I mean, certainly they will be more expensive, no question
  about that. But the bigger thing is running those bigger
  models at the latency that you need and the throughput that you
  need is very, very hard. Running things at such scale to get
  sub second latencies, to get them at the QPS that we need to
  run it, is very, very difficult. It's not just that it's
  expensive.
  - Q. Okay. When you say those bigger models, you mean like

1 MUM?

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

**2 A.** Yes.

Q. I just wanted to make sure I understood that.

Then two paragraphs farther down it says: "New language models like MUM have enormous potential to transform our ability to understand language and information about the world."

- A. Yes.
- Q. "And while they may be powerful, they do not make our existing systems obsolete."

You believed that when you wrote it?

- A. Excuse me?
- Q. You believed that when you wrote it?
- A. I believed that when I wrote it, and I still believe it.
- Q. Okay. "Today, Google search employs hundreds of algorithms and machine learning models, none of which are wholly reliant on any singular large model."

And that's still true, right?

- A. Yes.
- MR. DINTZER: And, Your Honor, I'm going to change gears.

  This might be a good place.

THE COURT: Okay. How much longer do you think you have?

MR. DINTZER: Probably another hour. I'll see what I can

do to edit, Your Honor.

THE COURT: Why don't we take the next 15 minutes to see 1 2 if that can be --3 MR. DINTZER: Thank you, Your Honor. THE COURT: -- trimmed down. Okay, thank you. 4 5 (Recess taken at 2:55 p.m.) 6 (Back on the record at 3:16 p.m.) 7 THE COURT: I'm glad you've applied navboost to your examination. 8 9 BY MR. DINTZER: 10 Sir, we're going to go in your book to UPX2026. 11 That's the book we gave you. And it's a very -- it's a big 12 document. We've given you an excerpt of the pages that -- if 13 you feel like you need to look at the whole document, we'll 14 hand it up, but it's like 400 pages. The title of this is 15 "Search Platforms Summit," and then "Welcome." 16 Do you see that? 17 A. Yes, I do. 18 Q. And what is the Search Platform Summit? 19 A. Search platforms is one of the four divisions within 20 the search team. It's a sister organization to the search 21 quality team that I'm part of. This is the summit that they 22 had, presumably for the whole team or maybe some appropriate 23 subset of the team. 24 MR. DINTZER: And we'll offer this, Your Honor.

MR. SMURZYNSKI: So, Your Honor, we have a number of

pages. We haven't reviewed the whole document. It appears to
be a deck that was produced by Google, and in that case we
would not object. But it may be that there's a need for a
complete document. It may be that there isn't a need for a
complete document. But having just received it, we'd want that
opportunity to review it. But with those caveats.

THE COURT: Okay. So we'll admit this excerpt, subject to the completeness request made by Google's counsel.

(Exhibit UPX2026 admitted into evidence)

- MR. DINTZER: And we're giving them a whole one now, Your Honor, so that they have access to it.
- Q. Sir, we're going to go to page -- I think it's the second page that you're seeing here, it's Bates numbered 7122.

  Just let me know when you get there.
  - A. Yes, I got there.
- Q. Okay. And the heading is: "Some queries are really slow."

Do you see that?

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

- A. Yes, I see it.
- Q. And it says the queries, but actually this is about latency, right?
  - A. This is about latency, yes.
- Q. And this is the time it takes for Google to answer the queries?
  - A. That's -- I think that's what it is, yes.

1 Q. And at the bottom the notes say: "It turns out a 2 bunch of queries are really slow for our users." And then if 3 you jump down it says: "One in four times they get issued, it takes more than X for the user to get the answer." 4 Do you see that? 5 Yes, I see that. 6 Α. 7 And is that amount of time, the seconds, longer than Q. Google would want it to be? 8 9 A. It is indeed. 10 I'm going to go to the next page, 7123. Do you see 11 that? 12 Α. Yes, I do. 13 And the heading is: "Bing appears faster." Q. 14 Do you see that? 15 Α. Yes. 16 And this is on mobile, right, these are mobile pages? Q. 17 A. At least on the left they look like mobile pages. I 18 assume the right, also, they're mobile pages. 19 Q. And at the top it says: "Bing first result arrives sooner X percent of the time." 20 21 Do you see that? 22 A. Yes, I see that. 23 And what we're seeing here is Google loading a 24 document and then Bing loading the same document, and the 25 comparison of how fast Bing is loading it versus Google. Do

you see that? 1 2 Yes, I think so. Α. 3 Q. And then if we go to the next page, 7124, and the heading is: "Deja vu." 4 Right, do you see that? 5 6 A. Yes. 7 Q. And it says: "We haven't been focusing enough on low latency." That's one of the comments. And the footnotes say: 8 9 "Every couple of years we sound the alarm, have a code yellow, 10 but we keep ending up back here again." 11 Do you see that? 12 Α. Yes, I do. 13 Do you remember when this was an issue that was raised 14 up to you, that Google's latency was significantly slower than 15 Bing's? 16 I don't remember the specific instance, but this 17 has -- this kind of thing has been raised with me, yes. 18 Q. Do you remember Google instituting a response to this 19

specific set of events where Google found that it was slower than Bing on mobile? A. Yes. I think we did take action, yes. MR. DINTZER: Let's go to UPX2022. And we'll offer this, Your Honor.

MR. SMURZYNSKI: No objection.

THE COURT: It will be admitted.

20

21

22

23

24

(Exhibit UPX2022 admitted into evidence) 1 2 BY MR. DINTZER: 3 Do you recognize this document, sir, Google v. Bing? Q. I think I have seen this, yes. 4 And it's a summary of findings. This is June 2017: 5 "Looking specifically at mobile queries on browsers, Bing 6 7 consistently serves search results faster than Google today." 8 Α. Yes. 9 Q. And then number one says: "Bing results arrive 10 approximately 300 milliseconds faster." 11 A. Yes. 12 Do you recall what Project Folly was? 13 Folly was an attempt at instituting a set of projects 14 and policies and processes to decrease latency. 15 Q. And with the specific goal of catching Bing on 16 latency? 17 A. Well, I don't know that they made that the specific 18 goal. They would set themselves goals of decreasing latency, 19 and they've consistently done that since then. 20 Q. And what are the elements that cause -- let me try it 21 a different way. How can a company like Bing -- like Google 22 lower latency? And just so that we get the directions right, 23 lower latency is a good thing, right?

A. Lower latency is a good thing, yes.

Q. So what variables can come into play to lower latency?

24

A. I think that there are many, many factors that go into this. So let's start with the -- what's on the search results page. There's been a big push to enrich the search experience, because users like more visual pages with lots of images. And so as there has been a push to have more images on the page, pages have become more heavy. Downloading those images has become more expensive in terms of latency, and that has been a big factor. So finding ways to either compress those images or otherwise cleverly encode them has been some of the work that goes on in decreasing that kind of latency.

- Q. And that would be an investment in engineering and coding and the like?
  - A. That is correct.
  - Q. And another way of addressing --
- A. And also in process, because it's not as if there is one singular team that does it, it's distributed across many different teams. So you need to make sure that all teams are sort of applying best practices here. So there's process involved also.
- Q. Another way that a general search engine can reduce latency is investment in servers and other hardware, right?
- A. Well, before you get there, there are other factors that go into it, which is as we improve search, such as running ML models and things, the work that you do in constructing the SERP itself goes up. And so there are efficiencies that you

1 need to have in there that allows you to decrease latency also.

- Q. Okay. And then getting back to my question: The investment in servers and hardware, that can also reduce latency?
- A. So that may or may not be the case, right. It is true that when servers are heavily loaded, when there are traffic peaks, adding extra machines can reduce latency. Because when servers get heavily loaded, then the requests sort of back up one behind the other, and you have to wait for the previous request to finish before you can start. But typically, other than at very peak times, servers don't run hot, they run at sort of a lower capacity. In those cases, there's plenty of compute, so that's not the problem.

Some of these other factors are a problem. The way your software is structured, for example, we have a strategy -- a historical strategy of constructing the whole SERP and then shipping it back. And instead of doing that, you should have more of a streaming strategy that figures out what should be at the top and sends it back while you're still working on the rest of it. So there are lots of things you can do. I think machines -- adding machines is only one thing, and that only addresses one fairly narrow aspect of the problem.

Q. Thinking about the machines, also building servers closer to individuals so that there's less travel time between the servers and the individuals, that also can reduce latency?

A. So you make a good point that the transport layer, the connection between the user and Google, is another factor. And that is affected by things like where Google servers are, but it's also affected by the user's own network connectivity with their ISP, you know, is it over wifi, is it over a mobile network. I guess we don't have dial-up anymore. But the user's own network connectivity sort of factors into this.

Q. Let's go to the second page of 2022, under the heading, "Background." And it says: "Background. As part of the Folly effort, it was observed that today Bing appears to serve search results faster than Google. In one of the worst cases found, the query 'San Diego to LAX train' takes 3.69 seconds for content downloaded on Google versus only 565 milliseconds for Bing."

- A. Yes, I do.
- Q. And that was one of the things that was raising concern at Google?
- A. Well, certainly having one query by itself is -- I mean, it's concerning that it should take a long time, but just feels like an outright bug to me more than anything else. I don't know exactly what happened with that query.
  - Q. But that's a long time?
  - A. But that's a long time.
  - Q. I'm sorry, I didn't mean to interrupt.

1 A. Go ahead. Go ahead. 2 Q. Under the next heading it says -- under 2014 latency 3 lab study it says: "The question has come up before. In 2014 latency lab studies, Bing was faster than Google, mainly due to 4 5 it's lack of SSL." Do you see that? 6 7 A. Yes, I do. So the latency issue had been one with respect to Bing 8 9 for a while? 10 It was certainly back then in 2014 also. 11 Q. And Google invested in engineering and work and time 12 to try to reduce the distance in latency between it and Bing, 13 right? 14 I think more than thinking about it as Bing, I would 15 say Google invested in reducing its latency. 16 MR. DINTZER: And so let's go to 2027. We'll offer, Your 17 Honor. 18 MR. SMURZYNSKI: No objection. 19 THE COURT: 2027 is admitted. 20 (Exhibit UPX2027 admitted into evidence) 21 MR. DINTZER: Thank you. BY MR. DINTZER: 22 23 Q. Sir, this is an e-mail chain that you were on from

June 19th, 2017; is that right?

A. Yes, it is.

24

1 Q. Let's go to the third page under analysis and tools. 2 Do you see that? 3 A. Yeah. Q. And it says: "Google v. Bing comparative analysis. 4 Bing results arrive 300 milliseconds faster." 5 6 Do you see that? 7 Yes. A. Q. And so that's the same thing that we were talking 8 9 about, the 300 milliseconds, right? 10 Α. I think so. 11 Okay. And then let's go to the first page, and we see 12 an e-mail from Nicholas Lim. And who is Nicholas Lim? 13 Honestly, I don't know. Α. 14 Q. Okay. And you'll see that he writes: "Hi, all. 15 Here's the latest Folly search latency update." 16 Α. Yes. 17 Q. And the too long, did not read summary says: 18 Folly program has defined two explicit goals to tackle head and 19 tail latency, reduce mean latency by 300 milliseconds and 20 reduce terrible" -- I'm not supposed to say that number --21 "searches from" -- and I'm not supposed to say those numbers. 22 Do you see that? 23 A. Yes. 24 So that happens to be the same 300 milliseconds as the

25

Bing distance, right?

1

2

3

4

5

6

7

8

9

10

11

12

13

19

21

22

24

25

Α.

Q.

Those numbers are certainly the same, yes.

Okay, okay. And then it says: "Nine cross-server

client product workstreams have been defined to meet these

ambitious goals and change culture to maintain latency

improvements."

Do you see that?

A. Where is this?

That's the very next sentence after the TL; DR that I

just read.

Oh, yes. Yes. Α.

So this was an instance when Google -- I mean, this is

an investment, cross-server client product workstreams, Google

invested a number of people to look at this problem, right?

14 Yes. But I want to call your attention to the "change Α.

15 culture to maintain latency improvements," because this gets to

16 the heart of why latency goes up. A lot of teams are very

17 focused on quality, not just of things like ranking, but also

18 the user experience. And that's their focus, is to really

improve the user experience and so forth. And these teams are

20 not necessarily experts on things like latency. And as we

improve the user experience, maybe by adding more images, maybe

by doing more work, maybe going to more back ends to build a

23 more comprehensive page, latency goes up.

And that's what they're talking about: How do we get a

culture change to make sure that all the hard work that this

team does to reduce latency isn't eaten up by quality 1 2 improvements by other teams. 3 Q. Okay. Do you know if Bing ever -- if Google ever caught Bing on latency? 4 No, I don't know. 5 Α. Q. Let's go to UPX2033. This is an April 21st, 2020 6 7 e-mail chain that you're on? 8 A. Yes. 9 MR. DINTZER: We'll offer this, Your Honor. 10 MR. SMURZYNSKI: No objection. 11 THE COURT: It will be admitted. 12 (Exhibit UPX2033 admitted into evidence) BY MR. DINTZER: 13 14 Q. Who's Mr. Gomes, Benedict Gomes? 15 A. He used to be my boss at that time, I think. He used 16 to be heading search. 17 Q. And he writes at the bottom e-mail -- and this is 18 April 20th -- and just to remind us all, that was sort of right 19 after COVID shutdown. He writes: "Hey, I want to make sure 20 the next COVID update goes over the key priorities as I see 21 them, probably in descending order. We can't obviously cover all of these." 22 23 Do you see that? 24 A. Yes.

Q. And number one was: "Query sets and side-by-sides on

25

key COVID queries." 1 Do you see that? 2 3 A. Yes, I do. And that was that side-by-side testing that we talked 4 5 about for COVID queries? 6 Α. Yes. 7 And he wanted to do that testing to see how Google was Q. doing? 8 9 Α. Yes. 10 And then you write back and you write: "We do have a 11 good query set where we've done a side-by-side with Bing." 12 Do you see that? 13 A. Yes. 14 So the testing that Google did with respect to Bing on 15 COVID was a side-by-side? 16 Well, we've certainly done a side-by-side. 17 know whether that's exactly what he's referring to here, but we 18 clearly did do a side-by-side with Bing, yes. 19 Q. And you write: "Overall, we do very well, but the team is drilling into the loss cases to see what we can 20 21 improve." 22 Right? 23 A. Yes. 24 And so Google was looking at the times when Bing might

25

have done better than Google?

- A. Yes.
- Q. And you talked about how Google looks at Bing for latency and for quality, and that's something that Google has done for the past 10, 12 years, right?
- A. Yes. But we've also looked at other places where people find information. We've done similar exercises with TikTok, for example.
- Q. Do you know if there's been a latency test against Google and TikTok?
- A. No, there wouldn't be a latency test because they're very different experiences. So there can't be a latency test --
  - Q. Do you do IS scores with TikTok?
- A. We can't do IS because it's a different experience. But, it's a place where people turn to find information also, which is sort of the broader point of what we are doing here.
- Q. Finally, sir, you're aware that there's a history function for the chatrooms that Google uses?
  - A. Yes.
  - Q. You know it's history on, history off, right?
  - A. Yes.
- Q. And you're familiar with the fact that some of the rooms default to history on or history off, right?
  - A. Yes.
  - Q. You understood before this case was filed that history

off meant that the chats would be destroyed or deleted after a 1 2 certain amount of time? 3 A. Yes. And from time to time, you asked people to turn 4 history off before or during your chats? 5 Well, I've certainly done that, because at the time 6 7 there was a policy at Google to have history off. 8 Q. And you --9 A. And I just wanted to be compliant with that policy. 10 Q. You understood Google's policy was that history off 11 for chats amongst Google employees? 12 A. Yes. 13 MR. DINTZER: No further questions, Your Honor. We pass 14 the witness. 15 THE COURT: Okay. Thank you, Counsel. 16 Any redirect -- oh, Mr. Cavanaugh? 17 MR. CAVANAUGH: No questions for the witness. 18 MR. SMURZYNSKI: Very briefly, Your Honor. 19 REDIRECT EXAMINATION OF P. PANDURANG NAYAK 20 BY MR. SMURZYNSKI: 21 Q. Dr. Nayak, starting with this topic of latency, we 22 heard the term Folly. What does Folly stand for? 23 Actually, I really don't know. Α. 24 Well, I will -- let me direct your attention to 2027. Q. 25 A. This is in which --

Q. It's in the white binder. It's one of the documents you just looked at.

In the middle of the page there's the e-mail from Nicholas Lim, and the first CC refers to a group.

- A. Oh, Friends of Low Latency.
- Q. Does that refresh your recollection as to what Folly stands for?
  - A. Yes, Friends of Low Latency.
- Q. Fundamentally, what is latency a result of when Google search is returning a search results page?
- A. So latency is a result of many different factors. It depends on the amount of work you do on the server side to get the best results. So all this going to the index, running the machine learning, constructing the features, all of that is factored in. This is server-side latency. Then there is the transport latency. This is related to the latency of actually sending the bits across the wire. And that is affected by not only the speed of the network, but also how heavy the page is, how many features you have, how many images you have and so forth. Plus, if you want to -- an interactive page, how much JavaScript you have to download onto the client.

And then there is the latency that is on the client itself, which is actually running the JavaScript to render the page. And in all this is all of the architectural elements of how all this is done: Do you do all the work once up front and

send it all down; do you construct it as a streaming protocol

so that it looks like it's faster because the important stuff

is coming sooner. It also depends on things like do you do

https for security, because that takes a little time to encrypt

Q. Could Google reduce its latency by spending less time trying to produce quality results on the server side?

and decrypt things. So there's many factors that go into it.

- A. I mean, that's -- if we could do that, that would be wonderful. But the reason we have a lot of sort of code on the server side has to do with the fact that we think that this is useful to users. That's why we've added those features. It's improving the quality. And so one has to figure out how to balance that. If it doesn't go to latency cost, we have to figure out how to balance those things, optimize those things. That's the hard work that needs to happen, is how you balance these different factors, because they're really sort of incomparable.
- Q. And in general, how has Google approached that trade-off between tolerating some amount of latency and making sure that it delivers quality results to users?
- A. We have tried to -- in the past, it used to be that each time people launched things, this would go to a latency -- a latency tzar kind of thing to say what kind of latency was this incurring. But they couldn't really stop the launch, because quality or experience was really quite the important

thing for us to do. We didn't want to trade that off. And so they would work to make sure that we instituted the best practices. But, at the end of the day, we wanted to build a great experience for users, and so latency would creep up as a result of that.

More recently, we have other process changes where different teams have been given latency budgets that they can work under, and that seems to be working better as a way of keeping things in check. So there's various process changes we've made to enable this kind of thing.

- Q. You were asked a couple questions before our break about mobile and desktop. Does location matter on desktop queries?
- A. Location matters on desktop also. If I come and search for weather on desktop, I hope I would get weather in Washington, D.C. as against, you know, in Chicago.
- **Q.** And has Google used that knowledge from desktop queries and how location has mattered in desktop queries historically in its mobile applications?
  - A. Yes, absolutely.

- Q. Counsel for plaintiffs drew a number of diagrams on the chart over there. Navboost figured quite prominently in them. Is navboost the only core algorithm that Google uses to retrieve results?
  - A. No, absolutely not.

- Q. How many signals does Google use, of which navboost is one?
- A. I mean, overall, there's a lot of signals. You know, maybe over a hundred signals. But for retrieving documents, the document itself is perhaps the most important thing, those postings lists that we have that we use to retrieve documents from. That's perhaps the most important thing, to get it down to the tens of thousands. And then after that, there are many factors, again. There are sort of code IR type, information retrieval type algorithms which cull topicality and things, which are really important. There is page quality. The reliability of results, that's another big factor. There's localization type things that go on there. And there is navboost also in that.

THE COURT: I'm sorry to interrupt. Can I ask you to give me a sense of where navboost is in the hierarchy of important variables. Is it top 10 percent, 25 percent, top half? Where would you rank it in terms of its significance in that process?

THE WITNESS: I mean, it's hard to give sort of a rank ordering like this. I will say navboost is important, right. So I don't want to minimize it in any way. But I will also say that there are plenty of other signals that are also important, like the ones I mentioned. And you can't really turn off some of these things. I don't know what it would mean to turn off, like, the index to the documents. That is in some ways like

1

2

3

4

5

6

7

8

9

10

11

12 13

14

15

16

17

18

19

20

21

22

23

24

25

the most important thing, the words on the page and so forth, right. So it's a little hard to judge in that way. So I would say that navboost is one of the important signals that we have.

THE COURT: Thank you.

## BY MR. SMURZYNSKI:

- Q. And, finally, you were asked some questions about a blog post that you had written, and you were talking about the expense of MUM in terms of energy and compute. How does Google address the fact that the MUM model, in the teacher sense, has expense in terms of both energy and compute in serving results through its system?
- A. So the truth is we don't run the MUM model in production. So it's not as if the MUM model is running for every query at run time. It's too big and too slow for that. So what we do there is to train other smaller models using the special training, like the classifier we talked about, which is a much simpler model. And we run those simpler models in production to serve the use cases.

MR. SMURZYNSKI: Thank you. I have no further questions.

THE COURT: Thank you. Dr. Nayak, thank you very much for your time and testimony.

THE WITNESS: Thank you so much for having me.

THE COURT: Have a good evening.

(Witness not present)

THE COURT: So I take it we've come to the end of the day

in terms of evidentiary presentation. So tomorrow we have two 1 2 witnesses, correct, Mr. Cavanaugh -- or at least that's our 3 hope? MR. CAVANAUGH: Yes, Your Honor, we have two witnesses. 4 We don't anticipate a closed session with either one. 5 THE COURT: Okay. Help me with timing, because tomorrow 6 7 is our last day, and I want to make sure we have enough time to finish both. 8 9 MR. CAVANAUGH: We will have plenty of time. I anticipate 10 we'll end a little early. 11 THE COURT: Okay. Fine, terrific. 12 Mr. Schmidtlein, did you want to add anything? 13 MR. SCHMIDTLEIN: No, Your Honor. 14 MS. WASZMER: Good afternoon, Your Honor. Wendy Waszmer 15 for Google. 16 Just with the two witnesses Mr. Cavanaugh mentioned, I 17 think he and we do not have any confidentiality issues. 18 There's just one item I've raised with counsel for Microsoft 19 about, I believe, Mr. Cavanaugh's second witness, the Sky 20 witness, Mr. Vallez. I've just flagged one potential document 21 that could come up, depending on what the States' scope is. 22 We've proposed a way that we could do it in open court, I 23 believe we should be able to do it, but Microsoft counsel just 24 needs some time today to think about it.

So, as of now, I think they just wanted me to reserve

25

their ability to come in tomorrow and raise it if they do need 1 2 sealing, but we've proposed a way to do it in open court. 3 THE COURT: Okay. Thank you, I appreciate it. So, as I said earlier, what we'll do sort of here forward 4 5 is I will ask counsel to just give me a heads up about anticipated closed sessions for the next day. 6 7 What was the name of the witness, I'm sorry? 8 MR. SCHMIDTLEIN: Vallez, V-A-L-L-E-Z, is the witness that 9 may have this issue. 10 THE COURT: What's the first name? 11 MR. SCHMIDTLEIN: Paul. THE COURT: Paul, okay, great. 12 13 So I'll -- you know, what I will do is we'll post 14 something this evening just providing notice, and anyone that 15 would like to be heard the next morning about it can. 16 Hopefully, we'll get this resolved and it won't be an issue, 17 but we'll proceed in that fashion. 18 MR. CAVANAUGH: He'll be the second witness tomorrow, Your 19 Honor. THE COURT: Okay. So if you expect, Mr. Cavanaugh, to 20 21 finish early, what I would propose is that our discussion about 22 any -- the proposed modifications that the New York Times has

made, that we push that to the end of the day. I'd like to be

able to give their counsel a general time where we think we

23

24

25

might end up.

MR. CAVANAUGH: I gave Mr. Sommer a prediction of 3:30. I think if we went with 4:00 o'clock, Your Honor, I think we'd be safe.

THE COURT: Well, why don't I just tell him 3:30, because that's about usually when we -- that may work, so great. So we'll notify them about that.

Okay, anything else?

MR. DINTZER: Not from the DOJ plaintiffs, Your Honor.

MR. CAVANAUGH: No.

MR. SCHMIDTLEIN: No, Your Honor.

THE COURT: Just one last thing on my list, then. So we have now have had the opportunity to review and make now available -- and we'll post a minute order to this effect as well -- the previously closed sessions for Mr. Yoo, Mr. Lehman and Mr. Roszak. As before, we did conduct -- well, I should say, before we conducted a line-by-line evaluation, we did request input from the parties. We did conduct a line-by-line evaluation of their proposals, and applied the Hubbard factors to those proposals. Just, again, in terms of those factors, I recognize that one in six probably certainly favor disclosure. And given the nature of some of this, certainly I think those factors favor disclosure.

There are other aspects of the requests for sealing that I think, however, arguably outweigh the request for disclosure.

And specifically they relate to, in this case, concerns about

making public information that hasn't previously been made

public, which is a factor to consider. And the potential for

competitive harm, specifically in this case to Google, since

all three of those witnesses related to them.

So just applying all of those factors -- and I'm not going to -- applying those factors, these are sort of the general categories of -- general subject matters that we did agree to redact. Again, one, personally identifying information, like e-mail addresses; two, code names and internal projects -- excuse me, code names and internal terms for projects that are not otherwise public -- and I'm speaking just in terms of the code name itself.

We also have -- as has been consistent with what has been done today, that to the extent that there's proprietary information revealed in the transcripts about how certain systems or features work, including any data inputs and programming details -- for example, the number of training examples for a search algorithm or the number of pages that a search engine indexes, those were numbers that we thought were proprietary and arguably trade secrets for Google that we did accept the proposed redactions.

We did not, however, accept all of them. For example, sort of high-level statements about one firm's product by another firm, including results of product comparisons with other firms, some of which we've heard about today in open

court, such as Google's internal quality assessments aboutBing, we did not redact.

Similarly, there was -- I think it was with Mr. Roszak, there was a discussion of an internal Google assessment of Bing's -- what it would take for Bing essentially to become the default for Apple, and some of the financial calculations that Google was estimating about what it would take for Bing to potentially secure that default. Those we did not redact.

And then we did not redact what we thought of as sort of a high-level description of certain features of technology, particularly that that's been around for a long time, which I think has sort of been consistent with the way we treated it today -- so a high-level description of what the technology is. But, again, the particular inputs we did not -- we did redact the particular inputs, because I thought those were more aligned with trade secrets that, if disclosed, could result in competitive harm, okay.

So with that, is there anything else? Then we will adjourn for the evening.

MR. DINTZER: Not from us, Your Honor.

THE COURT: Thank you all very much. We'll see you in the morning.

(Proceedings adjourned at 3:54 p.m.)

CERTIFICATE I, Jeff M. Hook, Official Court Reporter, certify that the foregoing is a true and correct transcript of the record of proceedings in the above-entitled matter. October 18, 2023 DATE Jeff M. Hook 

BY MR. DINTZER: - again 6480

6475/11 6475/19 6428/22 6429/6 2 actively [1] 6476/3 6476/10 5213 [1] 6439/11 6409/16 20 [2] 6431/12 BY MR. DINTZER: 6478/20 6440/3 5231 [1] actual [6] 6411/4 [15] 6395/14 6431/19 THE WITNESS: [15] 6440/19 6428/20 6436/4 5233 [1] **200 [1]** 6399/15 6400/17 6405/13 6400/15 6405/11 6461/13 6441/8 6441/13 565 [1] 6407/12 6428/6 20001 [2] 6392/17 6406/9 6407/5 6441/13 6434/3 6437/7 6393/25 6 6427/11 6427/13 actually [19] 6439/16 6442/18 6392/15 20005 [1] 6427/16 6427/25 6 percent [4] 6396/21 6411/16 6445/23 6451/24 6393/9 20024 [1] 6417/15 6417/16 6433/19 6433/22 6417/19 6421/18 6454/8 6458/1 6402/22 2005 [1] 6418/18 6418/18 6437/3 6442/6 6422/22 6422/23 6462/21 6465/12 6397/3 2014 [5] 6445/11 6472/18 **600 [1]** 6392/19 6426/22 6426/24 6414/9 6462/2 BY MR. 6473/21 **60604 [1]** 6392/20 6429/7 6429/25 6462/3 6462/10 SMURZYNSKI: [2] 616,386 [2] 6437/4 6441/18 6397/3 6468/19 6473/4 2015 [3] 6426/7 6427/10 6443/15 6449/25 6397/7 6430/14 MR. CAVANAUGH: 6397/7 6394/4 '16 [1] 6395 [1] 6450/14 6455/20 6468/16 2017 [5] 6405/23 6397/7 6394/11 '17 [1] 6435 [1] 6468/23 6469/16 6474/3 6474/8 6409/6 6409/13 6461/12 6394/12 'San [1] 6451 [1] 6469/23 6475/17 6475/25 6458/5 6462/24 6455 [1] 6394/13 6404/12 add [6] 6476/8 2019 [2] 6435/10 6458 [1] 6394/14 6408/9 6408/10 MR. DINTZER: [26] 6435/20 .....Admitted [6] 6462 [1] 6394/15 6426/15 6451/4 6397/9 6395/3 6395/7 2020 [3] 6394/11 6394/12 6465 [1] 6394/16 6474/12 6395/10 6395/12 6423/24 6465/6 6394/13 6394/14 6468 [1] 6394/5 added [2] 6408/13 2021 [1] 6414/3 6432/19 6451/10 6394/15 6394/16 6417/22 66 [1] 6470/11 2022 [1] 6435/12 6435/18 6461/8 6393/9 680 [1] 6460/7 adding [3] 6439/13 6442/5 1 2023 [1] 6392/5 6460/21 6464/21 6442/7 6442/9 2027 [3] 6462/16 **10 [1]** 6467/4 additional [2] 6442/14 6451/19 6462/19 6468/24 7122 [1] 6455/13 10 percent [1] 6424/17 6427/19 6453/20 6453/23 209 [1] 6392/19 6472/17 6456/10 7123 [1] additive [1] 6454/2 6454/23 6465/18 20th [1] 10,000,000 [1] 7124 [1] 6457/3 6430/22 6455/9 6457/21 6465/6 21st [1] 6399/7 757,000 [2] address [1] 6462/15 6462/20 6392/23 2200 [2] 10019 [1] 6393/12 6425/4 6425/5 6473/9 6465/8 6468/12 6392/23 6392/24 10036 [1] 7th [1] 6393/4 addresses [2] 6476/7 6478/19 **24** [1] 6392/7 6414/3 1087 [1] 6460/22 6477/9 MR. SCHMIDTLEIN: 25 percent [1] 8 6442/13 10:00 [1] addressing [1] 6474/12 6472/17 [4] 80203 [1] 6407/24 6393/5 10K [1] 6459/14 6475/7 6475/10 2:55 p.m [1] **1100 [1]** 6392/14 8074 [1] 6442/25 adequate [1] 6476/9 6454/5 6392/23 8075 [1] 6446/24 1133 [1] 6409/20 MR. SMURZYNSKI: 2X [1] 6437/5 6417/24 6414/8 11th [1] 86 [1] adjourn [1] [12] 6432/15 12 years [1] 6478/19 3 6435/14 6439/15 6467/4 adjourned [1] 6461/12 6442/11 6442/16 3.69 [1] 6409/25 A/B [1] 6410/13 129 [1] 6478/23 **30 [2]** 6431/12 6451/21 6454/24 6405/21 ability [2] 13 [1] adjust [2] 6431/19 6457/23 6462/17 6453/6 6475/1 13 months [3] 6399/24 6431/12 6465/9 6468/17 300 [3] 6407/17 6410/21 6432/11 ablation [1] admit [1] 6455/7 6473/18 6407/19 6407/24 6409/13 6433/18 admitted [12] MS. WASZMER: [1] 300 milliseconds able [4] 6395/5 1300 [1] 6393/4 6414/4 6435/16 **[5]** 6458/10 6474/13 6426/16 6474/23 1301 [1] 6393/11 6435/18 6451/23 THE COURT: [47] 6463/5 6463/9 6475/24 **15** [1] 6454/1 6451/24 6455/9 6463/19 6463/24 6395/1 6395/6 6479/5 15,000 [6] above [1] 6457/25 6458/1 6395/9 6395/11 3010 [1] 6392/4 6424/11 6424/12 above-entitled [1] 6462/19 6462/20 6400/14 6405/8 333 [1] 6393/24 6424/20 6425/8 6479/5 6465/11 6465/12 6406/5 6407/3 3:16 p.m [1] 6425/10 6426/8 absolute [1] advanced [2] 6407/11 6427/8 6454/6 **15th** [1] 6414/9 6434/20 6429/10 6452/2 6476/1 6427/12 6427/15 3:30 [2] 16,000 [1] absolutely [6] advantage [1] 6427/21 6428/5 6476/4 6412/13 6422/4 6423/20 6428/19 6433/14 6433/21 3:54 p.m [1] **17 [1]** 6423/15 6427/8 6441/20 affected [3] 6434/2 6435/15 6478/23 17.003 [1] 6471/20 6471/25 6461/3 6461/4 6437/1 6442/8 6423/16 accept [2] 6469/17 6442/10 6442/17 6414/12 6477/21 6477/22 1720 [1] afternoon [3] 6454/14 6445/9 6451/22 400 [1] 6421/14 access [1] 1723 [1] 6392/7 6395/16 400 billion [1] 6453/22 6453/25 6421/15 6455/11 1724 [1] 6474/14 6397/10 6454/3 6454/6 **18 [1]** 6392/5 accurate [2] afterwards [1] 6393/11 6455/6 6457/24 40th [1] 18 months [2] 6427/22 6440/17 6442/16 4417 [1] 6435/21 6462/18 6465/10 6405/24 6410/21 across [5] again [13] 6392/17 450 [1] 6468/14 6472/14 6462/24 6431/25 6441/23 6396/24 6398/13 19th [1] 4:00 [1] 6473/3 6473/19 6476/2 6441/25 6459/16 1:20-cv-3010 [1] 6408/12 6408/13 6473/22 6473/24 6469/17 6392/4 6419/8 6426/5 6474/5 6474/10 1:32 [1] 6392/6 action [2] 6392/3 6426/9 6450/13 500-pound [2] 6475/2 6475/9 6457/21 6457/10 6472/9

again... - brings

again Drings				040
A	anonymized [1]	6413/21 6414/24	become [3] 6459/6	
again [3]	6395/24	aspect [1]	6459/7 6478/5	6458/6 6458/9
6476/19 6477/8	anticipate [2]	6460/22	becoming [1]	6458/15 6458/21
6478/14	6474/5 6474/9	aspects [1]	6437/15	6461/10 6461/14
against [3]	anticipated [1] 6475/6	6476/23	begins [2]	6462/4 6462/8
6410/14 6467/8		assessment [1] 6478/4	6444/21 6447/17	6462/12 6462/14
6471/16	<b>Antitrust</b> [1] 6393/4	· ·	<b>behaviors</b> [1] 6414/21	6463/4 6463/5 6463/25 6465/3
aggregate [2]	*	assessments [1] 6478/1	behind [1] 6460/9	
6441/21 6441/23	anymore [1] 6461/6	assume [1]	beings [1]	6466/14 6466/18
<b>ago [3]</b> 6402/17	Anyways [1]	6456/18	6426/23	6466/24 6467/2
6434/9 6443/6	6435/3	<b>ATMs</b> [1] 6419/12	1	6478/2 6478/5
<b>agree [4]</b> 6397/2	apologize [1]	attempt [1]	6392/22	6478/7
6437/13 6445/1	6426/20	6458/13		Bing's [3]
6477/7	Apparently [1]	attention [2]	6392/16	6396/18 6457/15
<b>Ah</b> [1] 6451/13	6435/9		<b>BENCH [1]</b> 6392/10	6478/5
<b>ahead [3]</b> 6442/15	appear [1]	augmented [2]		<b>bit [3]</b> 6419/16
6462/1 6462/1 <b>AI [2]</b> 6452/2	6401/24	6448/15 6448/18	6465/14	6420/5 6443/14
<b>AI [2]</b> 6452/2 6452/15	APPEARANCES [2]	August [2] 6414/8	benefit [1]	<b>bits</b> [1] 6469/17
<b>al</b> [1] 6392/3	6392/12 6393/1	6414/9	6452/9	<b>black [7]</b> 6423/11
al [1] 0392/3 alarm [1] 6457/9	appears [4]		<b>BERT [20]</b> 6431/6	
algorithm [4]	6441/21 6455/1	6414/8	6433/4 6433/6	6437/19 6437/24
6399/19 6448/16		August 15th [1]	6433/6 6433/9	6438/3 6444/15
6471/23 6477/18	Apple [1] 6478/6	6414/9		blanket [1]
algorithms [8]	application [2]	available [1]	6439/9 6440/6	6450/4
6399/15 6399/20	6433/6 6452/10	6476/13		blind [1] 6432/23
6400/1 6400/22	<pre>application-specif ic [1] 6452/10</pre>	6392/23 6393/9	6448/13 6448/16 6448/16 6448/17	<b>blog [2]</b> 6451/14 6473/7
6404/19 6451/1	applications [2]	6393/11 6393/24		<b>blue</b> [5] 6403/17
6453/17 6472/10	6452/12 6471/19	aware [3] 6396/7	6448/21 6449/17	6403/21 6404/8
aligned [1]	applied [2]	6396/9 6467/17	6449/21	6423/24 6428/2
6478/16 <b>allow [1]</b> 6439/3	6454/7 6476/18		BERT's [1]	<b>bold [1]</b> 6447/9
allows [1] 6439/3 allows [2]	applying [3]	<u>B</u>	6440/11	book [3] 6450/23
6427/19 6460/1	6459/18 6477/5		<b>best [7]</b> 6400/4	6454/10 6454/11
	6477/6	6400/19 6400/24		borders [2]
always [2] 6423/6	appreciate [4]	6402/22 6403/1	6450/8 6459/18	6419/8 6419/18
6450/7	0411/2 0419/1	6406/4 6419/10		boss [1] 6465/15
ambitious [1]	6432/20 6475/3	6421/25 6422/23 6427/20 6430/4	<b>better [14]</b> 6397/19 6398/2	<b>both [9]</b> 6396/18 6401/11 6403/15
6464/4	approach [1] 6395/11	6430/5 6438/3	6404/14 6410/18	6418/7 6418/8
AMERICA [4]	approached [1]	6442/16 6445/25	6411/14 6411/21	6436/23 6444/5
6392/3 6413/10	6470/18	6449/4 6449/20	6411/24 6429/15	6473/10 6474/8
6419/11 6419/14	appropriate [1]	6450/23 6454/6		bottom [7]
Americas [2]	6454/22	6457/10 6460/2	6448/18 6450/17	6414/12 6417/5
6392/23 6393/11 <b>AMIT [1]</b> 6392/10	approval [3]	6460/8 6460/17	6466/25 6471/8	6420/16 6421/14
among [1] 6407/10	6427/24 6428/2	6460/19 6462/10	beyond [2]	6435/21 6456/1
among [1] 640//10 amongst [1]	6428/3	6464/22 6466/10	6420/10 6421/17	6465/17
6468/11	approximately [1]		big [10] 6410/22	
amortized [1]	6458/10	6461/9 6461/9	6410/22 6419/15	6400/16
6452/11	April [2] 6465/6			box [9] 6416/3
amount [19]	6465/18	6470/13 6470/14	6454/11 6459/3	6424/21 6425/1
6398/4 6398/6	<b>April 20th [1]</b> 6465/18	6470/15 <b>Bank [3]</b> 6413/10	6459/8 6472/12 6473/14	6425/2 6425/3
6398/10 6406/1	April 21st [1]	l	bigger [9]	6437/2 6437/24 6438/3 6444/15
6409/18 6409/18		banking [1]		boxes [1] 6432/18
6411/3 6418/9	architectural [1]	6413/14		bracket [1]
6432/13 6439/2	6469/24	Bankruptcy [1]	6420/3 6434/19	6420/16
6443/23 6444/25	area [1] 6421/7	6393/24		Brain [1] 6447/13
6447/21 6449/6 6449/8 6456/7		bar [1] 6423/24		branches [1]
6468/2 6469/12	6476/24 6477/20		billion [1]	6413/13
6470/19	around [3]	6445/25 6446/2		break [2] 6415/14
analyses [1]	6412/16 6423/21	6448/7	binder [7] 6409/2	
6414/20		base [1] 6452/11		briefly [1]
analysis [4]		<b>based [2]</b> 6412/3	6423/11 6439/8	6468/18
6414/24 6438/4	6463/5	6448/6 basically [2]		<b>bring [4]</b> 6399/15 6400/2 6400/2
6463/1 6463/4	<b>arrives [1]</b> 6456/19	6401/23 6424/19	<b>Bing [32]</b> 6434/15 6434/20 6449/5	6428/24
And you [1]		Bates [3] 6414/11		brings [1]
6468/8	article [2]	6414/12 6455/13	6456/24 6456/25	6424/17
			,	, <u>-</u> .
			•	

broad - content				648
В	6450/4 6452/2	cetera [1]	6469/22	6431/17
<b>broad [2]</b> 6395/19	6452/7 6452/15	6440/14	close [1] 6422/8	comprehensive [3]
6439/4	6453/24 6454/2 6458/21 6458/25	<b>chain [2]</b> 6462/23 6465/7	<b>closed [3]</b> 6474/5 6475/6 6476/14	6397/18 6397/22
<b>broader [2]</b> 6443/18 6467/16	6459/20 6460/3	<b>chance [3]</b> 6408/5	closer [1]	compress [1]
Broadway [1]	6460/7 6460/10	6408/8 6408/10	6460/24	6459/8
6393/4	6460/20 6460/25 6466/20 6471/7	<b>change [12]</b> 6398/24 6412/6	CO [1] 6393/5 coarse [2]	computation [3] 6444/25 6447/15
<b>brought [1]</b> 6420/2	6472/15 6475/15	6424/4 6425/21	6429/19 6429/21	6452/4
brown [1] 6412/11	capability [1]	6425/22 6426/11	<b>code [6]</b> 6457/9 6470/9 6472/9	computationally
browsers [1]	6437/18 capacity [9]	6426/13 6426/13 6453/21 6464/4	6477/9 6477/10	[ <b>2]</b> 6447/5 6447/8
6458/6 <b>budget [2]</b>	6398/16 6398/21	6464/14 6464/25	6477/12	compute [3]
6446/19 6446/20	6446/9 6446/17	changes [5]	coding [1] 6459/12	6460/13 6473/8 6473/10
budgets [1]	6446/18 6446/20 6447/18 6447/20	6426/11 6426/13 6426/17 6471/6	collected [1]	computed [1]
6471/7 <b>bug [1]</b> 6461/21	6460/12	6471/9	6433/18	6423/4
build [4] 6397/18	<b>capture [1]</b> 6424/5	<pre>characterization [1] 6404/23</pre>	Colorado [3] 6392/21 6393/2	conceptually [1] 6406/7
6438/19 6464/22	careful [1]	characterize [2]	6393/3	concern [1]
6471/3 <b>building [1]</b>	6438/24	6404/15 6408/14	COLUMBIA [1]	6461/18
6460/23	<pre>carefully [2] 6409/10 6438/19</pre>	<b>chart [2]</b> 6417/18 6471/22	6392/1 column [2]	concerning [2] 6397/16 6461/20
built [1] 6444/11	carrying [1]		6427/23 6428/2	concerns [1]
<b>bullet [10]</b> 6436/1 6436/2	6398/16	ChatGPT [2]	combined [1]	6476/25
6436/2 6437/1	<b>case [10]</b> 6419/11 6422/6 6426/14	6445/15 6448/6 chatrooms [1]	6415/13 combines [1]	conduct [2] 6476/15 6476/17
6437/9 6437/19 6439/18 6440/12	6450/7 6450/7	6467/18	6446/3	conducted [2]
6440/22 6447/1	6455/2 6460/5		coming [2]	6414/20 6476/16
<b>bunch</b> [4] 6401/8	6467/25 6476/25 6477/3	6468/5 6468/11 cheaper [1]	6422/14 6470/3 comments [1]	<b>confident [1]</b> 6444/17
6407/8 6422/7 6456/2	·	6447/14	6457/8	confidentiality
businesses [1]	6460/12 6461/12	<b>check [2]</b> 6439/14 6471/9		[ <b>1</b> ] 6474/17
6422/8	6466/20 6473/18 catching [1]	Chicago [2]	6436/13 6447/19 common-sense [2]	<b>confused [1]</b> 6418/22
С	6458/15	6392/20 6471/16	6436/13 6447/19	connection [1]
cadence [1]	<pre>categories [2] 6413/5 6477/7</pre>	<b>choice [1]</b> 6410/22	company [2] 6420/17 6458/21	6461/2 connectivity [2]
6432/23		circle [1]	comparative [1]	6461/4 6461/7
calculations [1] 6478/6	cause [1] 6458/20		6463/4	Connolly [1]
California [1]	<b>caution [1]</b> 6432/19	Civil [1] 6392/3 claim [1] 6448/8	<pre>compared [2] 6428/16 6434/14</pre>	6393/8 cons [1] 6428/16
6421/19 call [2] 6438/20	CAVANAUGH [5]	classifier [1]	comparison [1]	Consequently [1]
6464/14	6392/22 6468/16	6473/16	6456/25	6447/17
called [11]	6474/2 6474/16 6475/20	<b>clear [3]</b> 6396/10 6405/9 6449/11	comparisons [1] 6477/24	<b>consider [1]</b> 6477/2
6399/15 6401/17 6404/20 6405/10	Cavanaugh's [1]	clearly [3]	compelling [1]	consistent [2]
6406/14 6406/16	6474/19	6429/17 6450/17	6429/9	6477/13 6478/12
6408/17 6408/18	<b>caveats [1]</b> 6455/6	6466/18 clever [1]	<b>competitive [2]</b> 6477/3 6478/17	consistently [2] 6458/7 6458/19
6408/19 6411/16 6448/13	CC [1] 6469/4	6425/18	complementary [5]	constant [1]
<b>can [51]</b> 6395/23	<b>certain [6]</b> 6438/18 6446/18	<pre>cleverly [1] 6459/9</pre>	6430/19 6430/21 6437/10 6437/16	6449/8 constantly [1]
6398/5 6399/24 6403/6 6406/4	6446/18 6468/2	click [12]	6437/17	6424/15
6406/6 6407/9	6477/15 6478/10	6411/20 6411/25	complete [2]	Constitution [1]
6407/14 6409/21	<b>certainly [21]</b> 6398/12 6403/19	6428/16 6428/19 6431/9 6432/12	6455/4 6455/5 completely [2]	6393/24 constrained [1]
6409/25 6411/21 6412/6 6419/6	6404/9 6413/15	6438/14 6440/1	6421/20 6447/21	6446/20
6419/21 6421/25	6416/22 6422/21	6448/22 6450/10	completeness [1]	constraint [1]
6422/9 6422/15	6429/8 6429/10 6430/13 6430/22	6450/11 6450/12 clicked [1]	6455/8 complex [1]	6446/22 construct [1]
6424/9 6429/16 6429/18 6430/20	6431/16 6432/25	6421/5	6445/22	6470/1
6433/15 6433/16	6437/5 6452/18	clicks [7]	complexity [1] 6446/10	constructing [3]
6435/9 6438/25	6461/19 6462/10 6464/1 6466/16	6405/15 6407/21 6428/19 6433/20	compliant [1]	6459/24 6460/16 6469/14
6439/14 6439/16 6439/19 6440/3	6468/6 6476/20	6434/12 6434/13	6468/9	consumptive [1]
6442/15 6442/15	6476/21 <b>certify [1]</b>	6434/18 client [4] 6464/3	complicated [1] 6445/22	6452/2 CONT [1] 6393/1
6445/19 6449/17	6479/4	6464/12 6469/21	components [1]	content [1]
			İ	İ

content... - discussed 6483

content discussed				6483
	/	6440/00 6440/04	644270 644277	CAR1 /10
C	CPS/Antitrust [1]	6448/22 6448/24	6443/2 6443/7	6471/18
content [1]	6393/4	6449/1 6449/5	6443/16 6444/3	desktop-dominant
6461/13	craft [1] 6438/19		6444/8 6447/1	<b>[3]</b> 6415/25
context [3]	create [3]	6449/8 6449/10	6447/9 6447/18	6417/20 6418/3
6441/11 6445/10	6397/22 6408/3	6449/12 6449/13	6447/25 6449/24	destroyed [1]
	6422/16	6449/18 6449/23	default [3]	6468/1
6445/11	created [2]	6449/25 6450/5	6467/23 6478/6	detailed [1]
contrast [1]	6424/1 6438/24	6450/6 6450/8	6478/8	6396/13
6445/3		6450/8 6450/10	Defendant [2]	details [4]
contribute [1]	creating [1]	1		
6430/23	6413/4	6450/10 6450/11	6392/7 6393/7	6397/1 6413/22
control [1]	creep [1] 6471/4		defendants [1]	6420/3 6477/17
6438/9	crisp [1] 6444/19		6439/8	determine [1]
convey [1]	critical [3]	6450/20 6477/16	defense [1]	6411/21
6399/12	6400/1 6400/22	datasets [1]	6395/4	development [2]
	6422/25	6413/5	defined [2]	6421/24 6422/25
core [21] 6399/15	cross [4] 6394/4	<b>DATE [1]</b> 6479/10	6463/18 6464/3	<b>device</b> [1] 6417/2
6399/18 6400/1	6395/14 6464/2	dates [1] 6402/17		diagrams [1]
6400/12 6400/20	6464/12	DAVID [1] 6392/18		6471/21
6400/22 6400/24				1
6402/13 6402/15	Cross-Examination		definition [2]	dial [1] 6461/6
6404/19 6406/12	[2] 6394/4	6471/3 6473/25	6445/2 6451/6	dial-up [1]
6406/15 6406/18	6395/14	6474/7 6475/6	<b>Deja [1]</b> 6457/4	6461/6
6407/1 6407/23	cross-server [2]	6475/23		<b>Diego [1]</b> 6461/12
6407/25 6430/18	6464/2 6464/12	days [2] 6432/17	6396/8	difference [2]
•	<b>cull [1]</b> 6472/10	6449/23	deleted [1]	6417/3 6420/10
6439/23 6451/1	<b>culled</b> [3] 6404/6	DC [51 6392/5	6468/1	differences [1]
6452/16 6471/23	6407/5 6407/15	6392/15 6392/17	deliberately [1]	6413/7
corner [1]	culling [3]	6393/9 6393/25	6432/17	different [31]
6412/16		<b>de [1]</b> 6395/24	delivers [1]	6401/8 6402/1
corpus [3]				
6439/20 6441/24		de-identified [1]	6470/20	6413/5 6414/18
6441/25	culls [1] 6405/12		demonstrative [2]	
correlated [1]		decide [3]	6399/10 6423/8	6417/11 6419/5
6441/21	6464/4 6464/15	6411/23 6427/4	demonstratives [1]	
cost [6] 6446/9	6464/25	6427/4	6402/5	6421/17 6421/18
6446/12 6446/15	current [1]	decides [1]	<b>Denver [1]</b> 6393/5	
6446/18 6447/25	6437/5	6427/5	Department [4]	6425/24 6428/12
6470/13	cv [1] 6392/4	deciding [1]	6392/14 6392/16	6428/15 6430/23
costs [1] 6452/10	D	6410/21	6392/19 6393/3	6436/16 6441/9
counsel [10]		decision [7]	<b>depend [2]</b> 6420/3	6441/10 6446/4
	<b>D.C</b> [1] 6471/16	6409/15 6410/22	6420/5	6449/5 6452/12
6439/15 6442/11	DAHLQUIST [1]	6410/23 6411/3	depending [2]	6458/21 6459/17
6442/18 6455/8	6392/18	6411/5 6430/15	6412/6 6474/21	6467/11 6467/14
6468/15 6471/21	data [81] 6395/20		depends [6]	6469/11 6470/16
6474/18 6474/23	6405/18 6406/1	decisions [2]	6424/8 6430/12	6471/7
6475/5 6475/24	6409/9 6409/18	6410/25 6411/3		difficult [1]
countries [1]	6411/4 6411/25	deck [1] 6455/2	6469/12 6470/3	6452/23
6421/17	6412/23 6413/1	decrease [4]	deposition [2]	difficulty [1]
<b>couple [2]</b> 6457/9	6421/2 6421/18		6404/20 6412/20	
6471/11		6397/16 6398/6		6452/17
course [5]	6428/16 6428/19	6458/14 6460/1	<b>depth</b> [1] 6413/21	
6419/18 6420/2	6428/19 6429/19	decreasing [2]	descending [1]	6392/13 6394/4
6420/6 6442/23	6429/25 6431/9	6458/18 6459/10	6465/21	6395/3 6395/16
6444/2	6432/3 6432/6	decrypt [1]	describing [1]	direct [5]
	6432/9 6432/10	6470/5	6438/22	6399/14 6412/16
court [13] 6392/1	6432/12 6433/12	deep [18] 6399/24	description [2]	6413/8 6423/2
6393/23 6393/23	6433/16 6433/18	6400/3 6400/5	6478/10 6478/13	6468/24
6395/6 6404/2	6434/6 6434/8	6400/16 6408/5	desktop [29]	directed [1]
6405/2 6440/7	6434/8 6434/15	6430/13 6430/14	6413/2 6413/8	6442/9
6440/9 6442/16	6434/15 6434/19	6431/1 6431/8	6414/18 6414/25	direction [1]
6474/22 6475/2	6434/19 6436/8	6437/20 6438/3	6415/12 6415/17	6430/21
6478/1 6479/3	6436/9 6438/11	6438/8 6438/10	6415/25 6416/12	directions [1]
Court's [1]	6438/14 6438/14			6458/22
6404/3	6438/17 6438/17	6443/5 6444/25 6445/22 6448/1	6416/18 6417/3 6417/6 6417/20	
Courts [1]				disclosed [1]
6393/24	6438/19 6438/20	6448/12	6418/3 6418/5	6478/16
cover [2] 6435/10	6438/24 6438/25	DeepRank [22]	6418/7 6418/8	disclosure [3]
6465/21	0439/1 0439/2	6431/4 6433/1	6418/11 6418/12	6476/20 6476/22
<b>COVID</b> [5] 6465/19	6439/2 6439/3	6433/7 6433/10	6419/5 6419/13	6476/24
6465/20 6466/1	6440/1 6444/23	6433/12 6435/21	6420/2 6420/10	discussed [5]
6466/5 6466/15	6445/4 6445/7	6435/22 6436/12	6420/17 6471/12	6400/6 6403/7
CPS [1] 6393/4	6445/17 6446/4	6436/20 6436/23	6471/12 6471/14	6433/1 6433/4
CES [1] 0393/4	6446/7 6448/7	6437/10 6437/17	6471/15 6471/17	6450/7

discusses - explicit

D	6397/15 6399/15	effort [2]	6479/5	excuse [4] 6440/8
discusses [1]	6404/16 6406/16 6406/24 6407/2	6432/21 6461/10 eight [1] 6435/22	equal [4] 6397/19	6442/7 6453/12 6477/10
6414/24	6415/14 6420/20	eight [1] 6435/22 either [2] 6459/8		exercises [1]
discussion [2]	6431/19 6440/12	6474/5	essentially [2]	6467/6
6475/21 6478/4 <b>distance [2]</b>	6443/14 6443/21	element [1]	6419/13 6478/5	EXHIBIT [13]
6462/12 6463/25	6444/21 6449/18	6448/1	estimating [1]	6394/10 6394/11
distributed [1]	6453/4 6454/4	elements [2]	6478/7	6394/12 6394/13
6459/16	6456/3 6470/1 6472/7	6458/20 6469/24 else [9] 6397/19	<b>et [2]</b> 6392/3 6440/13	6394/14 6394/15 6394/16 6435/18
distribution [1]	down-talk [1]	6397/20 6403/10	evaluate [2]	6451/24 6455/9
6418/10	6440/12	6433/19 6436/25	6429/1 6441/8	6458/1 6462/20
<b>DISTRICT [4]</b> 6392/1 6392/1	download [1]	6438/6 6461/21	evaluation [7]	6465/12
6392/11 6393/24	6469/21	6476/7 6478/18	6409/22 6421/23	existing [1]
Divergence [1]	downloaded [1] 6461/13	<b>emphasize [1]</b> 6452/17	6422/24 6422/24 6440/23 6476/16	6453/10
6417/6	Downloading [1]	empirical [3]	6476/18	expect [1] 6475/20
divisions [1]	6459/6	6449/14 6450/1	evaluations [2]	expense [4]
6454/19 document [25]	dozens [1] 6424/9		6424/20 6446/7	6446/13 6448/10
6406/2 6406/5	DR [1] 6464/8	employees [1]	evaluator [2]	6473/8 6473/10
6409/21 6417/17	Dr. [2] 6468/21	6468/11	6410/15 6410/17	expensive [12]
6420/15 6422/7	6473/20 Dr. Nayak [2]	<b>employs</b> [1] 6453/16	<b>even [11]</b> 6400/23 6402/23 6408/12	6431/15 6431/16 6431/22 6447/5
6432/21 6435/7		enable [1]	6417/11 6420/14	6447/8 6447/12
6438/21 6439/5	drew [1] 6471/21		6421/10 6426/14	6447/14 6452/7
6439/9 6440/3 6441/16 6442/20		encode [2]	6438/23 6439/19	6452/16 6452/18
6445/9 6454/12	6466/20	6447/21 6459/9	6441/12 6448/18	6452/24 6459/7
6454/13 6455/1		encrypt [1]	evening [3]	experience [12]
6455/4 6455/5	during [1] 6468/5 DX108 [2] 6406/5		6473/23 6475/14 6478/19	6396/22 6411/15 6428/23 6429/6
6456/24 6456/24	6409/2	6406/19 6438/8	events [3]	6441/3 6459/3
6458/3 6472/5 6474/20	<b>DX134 [1]</b> 6439/6		6432/23 6449/2	6464/18 6464/19
documents [32]	<b>DXD17</b> [1] 6403/16		6457/19	6464/21 6467/14
6397/10 6399/16	DXD17-4 [1]	6475/23 6475/25	everybody [1]	6470/25 6471/4
6399/20 6399/23	6403/16	end-to-end [1]	6395/2	experiences [1]
6400/2 6400/9	<b>DXD17.002 [1]</b> 6399/12	6438/8 ending [1]	<b>evidence [12]</b> 6394/11 6394/12	6467/11 experiment [13]
6400/23 6404/7	DXD17.003 [1]	6457/10	6394/13 6394/14	6410/4 6410/5
6404/24 6404/25 6405/4 6405/13	6423/9	ends [1] 6464/22		6410/10 6410/12
6405/13 6406/11				0410/10 0410/12
	DXD1705 [1]	energy [4] 6452/2		6411/10 6411/12
	<b>DXD1705</b> [1] 6402/4	<pre>energy [4] 6452/2 6452/10 6473/8</pre>	6455/9 6458/1	6411/10 6411/12 6412/1 6424/17
6406/24 6406/25 6407/20 6408/3		<pre>energy [4] 6452/2 6452/10 6473/8 6473/10</pre>	6455/9 6458/1 6462/20 6465/12	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13	6402/4 <b>E</b>	<pre>energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7]</pre>	6455/9 6458/1 6462/20 6465/12 evidentiary [1]	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11	6402/4	<pre>energy [4] 6452/2 6452/10 6473/8 6473/10</pre>	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20	6402/4 <b>E</b> e-mail [6]	<pre>energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20</pre>	6455/9 6458/1 6462/20 6465/12 evidentiary [1]	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22	E e-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20	E e-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6]	<pre>energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2]</pre>	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25	E e-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17	<pre>energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2]</pre>	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8	E e-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1]
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1]	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5]	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1] 6424/4 experiments [13] 6409/8 6409/12
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1]	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1]	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1]	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1] 6424/4 experiments [13] 6409/8 6409/12 6409/22 6409/23
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1]	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1] 6424/4 experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13]	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1] 6424/4 experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3	6455/9 6458/1 6462/20 6465/12  evidentiary [1] 6474/1  exact [1] 6434/8  exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17  exam [2] 6423/2 6432/18  examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19  example [15]	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1] 6424/4 experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5 experimental [2] 6426/6 6426/17 experimentation [3] 6409/19 6409/20 6428/4 experimenting [1] 6424/4 experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25 edition [1]	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24	6455/9 6458/1 6462/20 6465/12  evidentiary [1] 6474/1  exact [1] 6434/8  exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17  exam [2] 6423/2 6432/18  examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19  example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25 edition [1] 6443/7	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13	6455/9 6458/1 6462/20 6465/12  evidentiary [1] 6474/1  exact [1] 6434/8  exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17  exam [2] 6423/2 6432/18  examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19  example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25 DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11 done [13] 6406/22 6407/4 6427/7	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25 edition [1] 6443/7 educational [1]	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13 6450/2 6457/7	6455/9 6458/1 6462/20 6465/12  evidentiary [1] 6474/1  exact [1] 6434/8  exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17  exam [2] 6423/2 6432/18  examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19  example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20 6421/4 6427/24	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7 6429/8  experts [1]
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25  DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11 done [13] 6406/22 6407/4 6427/7 6437/16 6458/19	E  e-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25 edition [1] 6443/7 educational [1] 6413/25	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13 6450/2 6457/7 6474/7	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19 example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20 6421/4 6427/24 6449/22 6460/15	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7 6429/8  experts [1] 6464/20
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25  DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11 done [13] 6406/22 6407/4 6427/7 6437/16 6458/19 6466/11 6466/16	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25 edition [1] 6443/7 educational [1]	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13 6450/2 6457/7 6474/7 enrich [1] 6459/3	6455/9 6458/1 6462/20 6465/12  evidentiary [1] 6474/1  exact [1] 6434/8  exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17  exam [2] 6423/2 6432/18  examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19  example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20 6421/4 6427/24 6449/22 6460/15 6467/7 6477/17	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7 6429/8  experts [1] 6464/20  explained [1]
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25  DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/18 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11 done [13] 6406/22 6407/4 6427/7 6437/16 6458/19 6466/11 6466/16 6466/25 6467/4	E  e-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 6453/25 edition [1] 6443/7 educational [1] 6413/25 effect [1]	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13 6450/2 6457/7 6474/7 enrich [1] 6459/3	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19 example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20 6421/4 6427/24 6449/22 6460/15 6467/7 6477/17 6477/22 examples [1]	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7 6429/8  experts [1] 6464/20
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25  DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/9 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11 done [13] 6406/22 6407/4 6427/7 6437/16 6458/19 6466/11 6466/16 6466/25 6467/4 6467/6 6468/6	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 645/12 edition [1] 6443/7 educational [1] 6476/13 effect [1] 6476/13	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13 6450/2 6457/7 6474/7 enrich [1] 6459/3 entire [2] 6426/8 6441/24 entirely [1]	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19 example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20 6421/4 6427/24 6449/22 6460/15 6467/7 6477/17 6477/22 examples [1] 6477/18	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7 6429/8  experts [1] 6406/8  explains [1] 6437/24
6406/24 6406/25 6407/20 6408/3 6422/12 6422/13 6422/14 6428/11 6431/12 6445/20 6445/20 6450/22 6451/2 6451/4 6469/1 6472/4 6472/6 6472/25  DOJ [2] 6392/13 6476/8 dollar [1] 6446/20 dominant [11] 6415/3 6415/18 6415/10 6415/18 6415/22 6415/25 6417/20 6417/20 6418/3 6418/4 6418/11 done [13] 6406/22 6407/4 6427/7 6437/16 6458/19 6466/11 6466/16 6466/25 6467/4	E-mail [6] 6462/23 6463/12 6465/7 6465/17 6469/3 6477/9 earlier [6] 6401/22 6406/22 6418/16 6430/17 6448/17 6475/4 early [2] 6474/10 6475/21 easier [1] 6432/20 easy [2] 6427/15 6428/4 eaten [1] 6465/1 edit [1] 645/12 edition [1] 6443/7 educational [1] 6476/13 effect [1] 6476/13	energy [4] 6452/2 6452/10 6473/8 6473/10 engine [7] 6401/12 6408/20 6419/3 6419/6 6435/4 6459/20 6477/19 engineer [2] 6424/23 6425/18 engineering [2] 6459/11 6462/11 engineers [1] 6397/17 enormous [1] 6453/5 enough [13] 6397/21 6398/3 6398/7 6398/9 6403/20 6406/24 6406/25 6416/24 6447/20 6449/13 6450/2 6457/7 6474/7 enrich [1] 6459/3 entire [2] 6426/8	6455/9 6458/1 6462/20 6465/12 evidentiary [1] 6474/1 exact [1] 6434/8 exactly [8] 6407/6 6434/10 6438/16 6438/21 6444/16 6450/16 6461/22 6466/17 exam [2] 6423/2 6432/18 examination [5] 6394/4 6394/5 6395/14 6454/8 6468/19 example [15] 6406/22 6411/25 6413/10 6414/7 6420/13 6420/13 6420/16 6420/20 6421/4 6427/24 6449/22 6460/15 6467/7 6477/17 6477/22 examples [1]	6411/10 6411/12 6412/1 6424/17 6424/24 6424/24 6424/25 6427/25 6428/5  experimental [2] 6426/6 6426/17  experimentation [3] 6409/19 6409/20 6428/4  experimenting [1] 6424/4  experiments [13] 6409/8 6409/12 6409/22 6409/23 6411/16 6424/25 6425/2 6426/8 6427/12 6427/13 6427/14 6428/1 6441/18  expert [2] 6396/7 6429/8  experts [1] 6406/8  explains [1]

explicitly - heading

explicitly - heading				648
E	features [13]	6475/10	6467/18	6461/1 6466/11
explicitly [1]	6401/22 6401/23	fit [1] 6404/4	functions [2]	6473/23 6474/14
6450/21	6402/1 6403/5 6408/9 6408/10	Fl [1] 6393/11	6438/20 6438/24 <b>Fundamentally [1]</b>	Goodrich [1] 6393/11
exposure [1]	6408/12 6408/23	<b>flagged [1]</b> 6474/20	6469/9	GOOGLE [91]
6449/25	6469/14 6469/19	Floor [1] 6393/4	I	Google's [11]
extent [5]	6470/11 6477/16	<b>flop [1]</b> 6417/9	6415/19 6439/5	6396/18 6397/9
6395/24 6413/12 6433/25 6448/11	6478/10	focus [1] 6464/18		6428/8 6434/11
6477/14	<b>fed [1]</b> 6428/11	focused [1]	6468/13 6473/19	6446/1 6446/2
<b>extra</b> [1] 6460/7	feedback [2]	6464/17	G	6451/21 6455/8
extract [2]	6439/23 6440/1 <b>feedforward [1]</b>	focusing [2] 6414/20 6457/7	gains [1] 6443/17	6457/14 6468/10 6478/1
6408/3 6439/3	6447/13	folder [1]	gather [1]	Gotcha [1] 6428/6
extracting [1] 6438/17	<b>feel [1]</b> 6454/13	6423/10	6416/25	grasp [1] 6441/4
	feels [1] 6461/21		gathered [1]	great [3] 6471/4
F	few [6] 6404/12	6421/13	6434/21	6475/12 6476/5
<b>fact [7]</b> 6397/20	6408/4 6426/13 6441/3 6451/4	<b>Folly [8]</b> 6458/12 6458/13 6461/10	<b>gave [4]</b> 6409/3 6439/8 6454/11	greater [1] 6436/4
6415/14 6415/15	6451/6	6463/15 6463/18	6476/1	green [3] 6403/17
6448/4 6467/22	fewer [2] 6405/13		I	6403/21 6404/6
6470/10 6473/9 <b>factor</b> [11]	6427/2	6469/6	general [12]	group [2] 6417/10
6403/19 6403/19	field [1] 6443/18		6419/2 6419/6	6469/4
6404/9 6404/17	Fifth [1] 6392/17		6424/15 6429/13	groups [1] 6425/5
6404/18 6407/22	<b>figure [9]</b> 6398/4 6404/14 6408/22	6420/13 footnotes [1]	6435/4 6444/22 6444/24 6459/20	growth [1] 6441/18
6432/25 6459/8	6411/13 6411/20	6457/8	6470/18 6475/24	6441/18   guess [4] 6396/16
6461/2 6472/12	6427/1 6438/25	For Plaintiffs [1]		6416/14 6429/18
6477/2 <b>factored [1]</b>	6470/12 6470/14	6392/21	generalize [3]	6461/6
6469/15	figured [1]	foregoing [1]	6416/17 6439/1	guesstimate [1]
factors [16]	6471/22	6479/4	6439/3	6447/19
6403/20 6405/7	<b>figures [1]</b> 6460/18	<b>forever [1]</b> 6396/2	gets [8] 6401/3 6407/17	guy [1] 6440/12
6446/14 6459/1	figuring [3]	Forever's [2]	6407/19 6408/8	H
6459/22 6460/14 6461/7 6469/11	6398/13 6400/3	6396/4 6396/5	6408/9 6408/14	half [1] 6472/17
6470/5 6470/16	6405/4	form [2] 6438/24	6464/15	hand [1] 6454/14
6472/9 6476/18	filed [1] 6467/25		=	handed [2] 6414/2
04/0/19 04/0/22	<b>fill [2]</b> 6398/2 6398/5	forms [1] 6430/15		6414/3 hang [1] 6428/22
6477/5 6477/6	final [2] 6430/23	<b>forth [7]</b> 6422/16		hanging [1]
		6448/7 6464/19	gives [4] 6400/20	
<pre>fair [13] 6396/23 6397/21 6397/23</pre>	finally [3]	6469/20 6473/1	6408/5 6443/16	happen [1]
6398/3 6398/4	6408/25 6467/17	forward [2]	6445/21	6470/15
6398/7 6398/9	6473/6	6446/5 6475/4		happened [1]
6403/20 6407/21	financial [1] 6478/6	<b>found [2]</b> 6457/19 6461/12		6461/22 happening [1]
6409/18 6416/19	find [5] 6403/13		global [1] 6434/1	
0410/24 0430/4	6420/18 6429/9	6456/3	glue [12] 6403/3	
fairly [1] 6460/22	6467/6 6467/15	fraction [3]	6403/4 6403/10	6400/11 6404/5
falls [1] 6427/23	finding [1]	6418/11 6449/22	6403/20 6403/22	6463/24
familiar [3]	0103/0	6449/24	6403/25 6405/3 6408/8 6408/8	hard [7] 6438/9 6444/18 6452/21
6413/16 6435/6	<b>findings</b> [1] 6458/5	<pre>frequency [2] 6417/21 6418/14</pre>	6408/13 6408/14	6464/25 6470/15
6467/22	fine [3] 6432/3	frequently [1]	6408/22	6472/19 6473/2
<pre>family [1] 6443/7 fan [2] 6412/9</pre>	6448/24 6474/11	6415/19	goal [2] 6458/15	hard-pressed [1]
6412/11	fine-tuned [2]	fresh [8] 6432/8	6458/18	6444/18
farther [4]	6432/3 6448/24	6449/2 6449/9		harder [1]
6420/20 6443/14	finish [3] 6460/10 6474/8	6449/13 6449/18 6449/25 6450/8	6463/18 6464/4 goes [6] 6399/13	6447/16
6444/21 6453/4	6475/21	6450/17	6459/10 6459/25	6459/21 6460/3
<b>fashion [1]</b> 6475/17	firm [1] 6477/24			harm [2] 6477/3
<b>fast</b> [1] 6456/25	firm's [1]	6450/6 6450/10	6465/20	6478/17
faster [7]	6477/23	freshest [1]		hate [1] 6440/11
6456/13 6458/7	firms [1] 6477/25 first [11]	6449/12 Friends [2]	6465/14 good [15] 6395/7	hazard [1] 6396/16
6458/10 6461/11	6419/19 6420/16	6469/5 6469/8		head [1] 6463/18
6462/4 6463/5	6422/10 6422/11	front [2] 6410/14	l	heading [12]
6470/2 <b>favor [2]</b> 6476/20	6422/13 6436/1	6469/25	6426/12 6427/21	6410/2 6415/3
6476/22	6443/6 6456/19	function [3]	6445/12 6453/22	6415/24 6439/19
-, · <del>-</del>	6463/11 6469/4	6406/9 6438/8	6458/23 6458/24	6440/23 6443/2

heading... - Justice 6486

heading Justice				6486
Н	6468/18 6474/4	6419/2 6419/6	6398/15 6401/20	6394/16 6403/15
heading [6]	6474/13 6474/14	6419/8 6470/2	6405/20 6405/23	6404/7 6424/20
6455/16 6456/13	6475/19 6476/2	6470/25 6472/5	6410/18 6412/21	6435/18 6444/11
6457/4 6461/9	6476/8 6476/10	6472/7 6472/11	6412/22 6412/23	6451/24 6455/9
6462/2 6465/16	6478/20	6472/16 6472/20	6413/1 6419/21	6458/1 6458/25
heads [1] 6475/5	<b>HONORABLE [1]</b> 6392/10	6472/22 6473/1 6473/3	6419/23 6422/20 6427/19 6453/6	6459/1 6459/23 6461/7 6462/20
heard [6] 6397/4	· ·	· ·	6467/6 6467/15	6465/12 6466/20
6397/6 6397/8	6479/3 6479/10	6407/18	6472/9 6477/1	6470/5
6468/22 6475/15	hope [4] 6406/25	impress [1]	6477/8 6477/15	inverted [1]
6477/25 <b>heart [1]</b> 6464/16	6/20/10 6/71/15	6441/1	informed [1]	6406/14
heart [1] 6464/16	6474/3	improve [5]	6446/4	invest [1] 6427/5
6460/6 6460/8	Hopefully [1]	6398/8 6459/23	initial [2]	invested [3]
heavy [2] 6459/6	6475/16	6464/19 6464/21	6399/20 6431/12	6462/11 6462/15
6469/18		6466/21	initially [1]	6464/13
<b>held [1]</b> 6398/20	hour [1] 6453/24 hours [1] 6441/2	<pre>improvement [1] 6441/20</pre>	6432/13	<pre>investment [7] 6397/25 6398/1</pre>
help [6] 6403/13	https [1] 6470/4		input [1] 6476/17 inputs [4]	6398/4 6459/11
6403/18 6403/20		6441/19 6464/5	6408/16 6477/16	6459/21 6460/3
6404/3 6427/9	Hubbard [1]	6464/15 6465/2	6478/14 6478/15	6464/12
6474/6	6/76/18	improving [2]	insights [2]	involved [5]
<pre>helped [1] 6395/4 helpful [2]</pre>	human [15] 6423/6		6438/17 6439/3	6400/5 6409/13
6399/11 6422/17		in-depth [1]	instance [2]	6409/15 6409/22
helpfulness [1]	6423/25 6424/13	6413/21	6457/16 6464/11	6459/19
6441/22	6425/13 6426/21	included [1]	instead [1]	IR [4] 6401/17
helps [4] 6403/16	6426/23 6427/19 6428/25 6429/2	6402/9 includes [2]	6460/17 instituted [1]	6401/20 6402/2 6472/9
6404/2 6404/7	6432/6 6441/9	6403/4 6416/4	6471/2	is that [1]
6404/9	6116/7 6118/21	including [2]	instituting [2]	6446/16
here's [2] 6425/7		6477/16 6477/24	6457/18 6458/13	IS-scored [1]
6463/15 <b>Hey [1]</b> 6465/19	hundred [5]	incomparable [1]	intend [1]	6428/11
Hi [1] 6463/14	6399/17 6399/18	6470/17	6429/15	ISP [1] 6461/5
hierarchy [1]	6407/9 6408/4	increase [1]		issue [5] 6429/16
6472/16	6472/4	6398/10	6415/20 6415/21 6415/23 6416/3	6457/13 6462/8 6475/9 6475/16
high [5] 6406/21	hundreds [3] 6424/9 6431/22	increased [1] 6398/16	6417/6 6420/11	issued [3]
6441/12 6477/23	6453/16	incurring [1]	6429/17	6405/16 6415/16
6478/10 6478/13	hypothetical [1]	6470/24	intents [6]	6456/3
high-level [3] 6477/23 6478/10	6434/16	indeed [1] 6456/9	6414/20 6415/3	issues [1]
6478/13	hypothetically [1]		6415/6 6415/9	6474/17
higher [2]	6434/17	6396/6	6415/25 6417/11	item [1] 6474/18
6415/12 6418/10	I	index [26] 6397/9		items [2] 6436/22
historical [1]	idea [3] 6425/18	6397/10 6397/18 6397/19 6397/22	6422/15 interactive [1]	6436/24 iterate [1]
6460/16	6427/20 6449/4	6397/24 6397/25	6469/20	6426/17
historically [1]	identified [2]	6398/6 6398/8	interest [1]	
6471/19	6395/24 6451/5	6398/10 6398/17	6418/5	J
<b>history [9]</b> 6467/17 6467/20	identifies [1]	6398/24 6399/1	interested [2]	JavaScript [2]
6467/20 6467/23	6451/4	6406/9 6406/11	6424/24 6429/7	6469/21 6469/23
6467/23 6467/25	identifying [1]	6406/12 6406/12	interesting [2]	<b>JEFF [3]</b> 6393/23
6468/5 6468/7	6477/8	6406/14 6406/20	6417/10 6445/13	6479/3 6479/10
6468/10	IL [1] 6392/20 images [6] 6459/4	6407/2 6407/14 6445/20 6450/23	<pre>interject [1] 6433/15</pre>	JOHN [1] 6393/7 JONATHAN [1]
holds [1] 6398/10	6459/5 6459/6	6450/23 6469/13	interleaved [2]	6393/2
home [3] 6416/14	6459/8 6464/21	6472/25	6411/17 6411/18	JR [1] 6392/22
6416/16 6419/14	6469/19	indexes [1]	internal [4]	judge [2] 6392/11
homework [2]	immediately [1]	6477/19	6477/9 6477/10	6473/2
6416/9 6416/15 Honestly [1]	6449/11	indicate [2]	6478/1 6478/4	jump [3] 6434/5
6463/13		6418/2 6418/3	interrupt [5]	6442/15 6456/3
Honor [26] 6395/4	implement [1]	individual [2]	6406/6 6426/20	June [2] 6458/5
6414/4 6432/16	0423/21	6427/13 6427/14	6427/9 6461/25	6462/24
6435/13 6435/19	implying [1] 6448/3	individuals [2] 6460/24 6460/25	6472/15 intersect [1]	June 19th [1] 6462/24
6442/6 6442/15	importance [1]	industrial [2]	6407/2	June 2017 [1]
6453/21 6453/25	6421/23	6416/8 6416/20	intersecting [1]	6458/5
6454/3 6454/24 6454/25 6455/11	important [19]	inferred [1]	6406/17	junk [2] 6398/2
6457/23 6462/17	6405/2 6410/24	6444/19	into [22] 6394/11	
6465/9 6468/13	6410/25 6411/2	information [22]	6394/12 6394/13	Justice [3]
	6411/5 6411/6	6398/10 6398/13	6394/14 6394/15	6392/14 6392/16
	1	ĺ	ĺ	ĺ

Justice... - may 6487

Justice may				6487
T-	latency [57]	6474/2	6422/4 6422/9	14
J		led [1] 6409/14	6471/12 6471/14	<u>M</u>
Justice [1]	6452/20 6455/21	left [2] 6402/13	6471/18	machine [19]
6392/19	6455/22 6457/8	6456/17	locations [1]	6397/3 6397/4
ĸ	6457/14 6458/14	Lehman [1]	6419/12	6397/7 6402/10
	6458/16 6458/18	6476/14	logs [2] 6396/8	6404/10 6404/25
<b>KAUFMANN [1]</b> 6393/3	6458/22 6458/23	length [1]	6448/5	6405/4 6407/10
keep [4] 6395/25	6458/24 6458/25	6430/14	long [14] 6395/21	6427/17 6430/7 6430/11 6430/12
6398/5 6446/19	6459/7 6459/10	less [6] 6420/9	6396/4 6396/5	6430/11 6430/12
6457/10	6459/21 6460/1	6427/23 6437/15	6396/8 6402/17	6430/18 6443/11
keeping [1]	6460/4 6460/7	6449/9 6460/24	6406/19 6430/10	6449/7 6453/17
6471/9	6460/25 6462/2	6470/6	6436/3 6449/11	6469/14
KENNETH [3]		level [6] 6402/9		machines [4]
6392/13 6393/8		6428/8 6438/8	6461/24 6463/17	6460/7 6460/21
6395/16		6477/23 6478/10	6478/11	6460/21 6460/23
<b>kept [2]</b> 6435/25	6463/19 6464/4	6478/13	long-tail [1]	magnitude [1]
6449/8		likely [4]	6436/3	6437/3
<b>key [2]</b> 6465/20	6464/20 6464/23	6406/20 6416/13	longer [2]	mail [6] 6462/23
6466/1	6465/1 6465/4	6421/8 6427/23	6453/23 6456/7	6463/12 6465/7
kick [1] 6412/12		Lim [3] 6463/12	longest [1]	6465/17 6469/3
kind [10] 6405/5	6467/10 6467/11 6468/21 6469/5	6463/12 6469/4	6430/6	6477/9
6415/9 6417/2		limit [1] 6437/25		main [2] 6419/24
6422/19 6444/19	6469/8 6469/9 6469/11 6469/15	limitations [2] 6440/6 6440/11	6408/2 6409/21 6410/2 6424/13	6431/1
6457/17 6459/10	i i		6425/6 6425/10	Maine [1] 6393/9
6470/23 6470/23	6469/22 6470/6	limits [1] 6448/21	6434/1 6446/10	mainly [1] 6462/4
6471/10		line [5] 6395/9	6447/11 6454/13	maintain [3]
kinds [4] 6408/1		6476/16 6476/16	6456/17 6464/13	6395/20 6464/4
6416/17 6445/17	6470/23 6471/4	6476/17 6476/17	looked [6] 6406/2	6464/15
6448/5	6471/7	line-by-line [2]	6409/10 6438/25	makes [1] 0438/9
knowledge [17]	later [2] 6403/6		6452/16 6467/5	making [3] 6422/5
6428/24 6443/24	6404/1	links [1] 6444/5	6469/2	6470/19 6477/1
6444/6 6444/10	latest [2] 6443/7		looking [22]	many [26] 6399/4
6444/18 6445/3	6463/15	6406/17 6406/17	6405/3 6405/5	6399/7 6404/24
6445/7 6445/11	launch [2]	6476/11	6406/15 6413/13	6410/25 6411/2
6445/13 6445/16 6445/19 6445/21		lists [3] 6406/19		6416/7 6416/7
6445/19 6445/21	launched [2]	6407/2 6472/6	6419/12 6419/17	6416/20 6416/22 6416/22 6424/7
6448/1 6448/8	6448/17 6470/22	literally [1]	6419/21 6420/15	6426/1 6426/19
6471/17	launches [1]	6433/17	6424/25 6425/13	6427/2 6427/21
knows [2] 6417/2	6446/3	little [10]	6428/24 6428/25	6434/17 6452/11
6429/8	launching [2]		6429/10 6429/13	6459/1 6459/1
_	6433/25 6434/1	6419/22 6420/5	6432/21 6438/17	6459/16 6469/11
L	Law [1] 6393/3	6427/18 6428/3	6438/19 6450/22	6469/19 6469/19
<b>lab</b> [2] 6462/3	LAX [1] 6461/12	6443/14 6470/4	6458/6 6466/24	6470/5 6472/1
6462/4	layer [1] 6461/1		looks [6] 6421/7	6472/8
lack [1] 6462/5	leads [1] 6436/19		6431/11 6442/21 6447/10 6467/2	map [5] 6419/12
language [15]	<b>learn [2]</b> 6447/18 6447/20	6411/12 6427/25 6428/1 6446/7	6470/2	6419/15 6419/16
6436/12 6436/17	learned [3]	6446/8	loss [3] 6419/16	6419/19 6420/1
6436/19 6443/18	6444/13 6444/16	LLC [1] 6392/6	6419/20 6466/20	match [2] 6399/7
6443/23 6444/6	6444/17	LLP [2] 6392/22	lot [16] 6397/4	6406/11
6444/8 6444/16	learning [32]	6393/8	6404/7 6405/3	matches [1]
0444/24 0443/14	6397/3 6397/5	loaded [2] 6460/6		6401/2
6447/19 6448/19	6397/7 6399/24	6460/8	6427/15 6430/6	matching [2]
6449/7 6453/4	6400/3 6400/5	loading [3]	6430/7 6430/9	6399/1 6399/4
6453/6	6400/17 6402/10	6456/23 6456/24	6439/1 6445/13	math [1] 6417/15
languages [1] 6431/25	6404/10 6404/25	6456/25	6445/16 6452/4	matter [3] 6438/7
	6405/5 6407/11	local [3] 6413/13	6464/16 6470/9	6471/12 6479/5
large [5] 6415/10 6439/20 6441/22	6408/5 6430/7	6422/14 6422/18	6472/3	mattered [1]
6445/14 6453/18	6430/11 6430/12	locale [4] 6412/4		6471/18
larger [2] 6420/1	6430/13 6430/14	6412/20 6412/22	6459/4 6460/20	matters [3] 6422/4 6471/14
6452/11	6430/15 6430/16	6412/24	low [3] 6457/7	6477/7
LaSalle [1]	6430/19 6431/1	locales [2]	6469/5 6469/8	1001 (205 /11
6392/19	6431/8 6437/20	6420/11 6432/1	lower [6] 6418/12	6395/25 6404/11
last [3] 6442/13	6438/3 6438/8	localization [2]	6458/22 6458/23	6421/20 6424/6
6474/7 6476/11		6408/1 6472/13	6458/24 6458/25	6428/25 6429/9
latencies [1]		localized [1]	6460/12	6431/12 6435/8
6452/22	6453/17 6469/14	6421/18		6441/1 6441/2
	least [2] 6456/17	location [5]		3111,1 0111,2
				1

may... - network 6488

may network				6488
м	6405/23	6456/18 6457/20	6450/5 6450/6	6403/4 6475/7
	memorizes [2]	6458/6 6461/5	6450/11 6450/15	6475/10 6477/12
may [9]	6405/15 6405/20	6471/12 6471/19	6450/18 6451/4	named [1] 6420/18
6441/20 6450/8 6453/9 6455/3	mentioned [3]	mobile-dominant	6452/15 6452/18	names [2] 6477/9
6455/4 6460/5	6412/15 6472/23	<b>[7]</b> 6415/9	6456/4 6459/4	6477/10
6460/5 6475/9	6474/16	6415/10 6415/18	6459/5 6459/6	narrow [1]
6476/5	methods [1]	6415/22 6417/20	6459/7 6460/18	6460/22
maybe [15]	6430/16	6418/4 6418/11	6461/21 6462/14	nature [1]
6396/25 6397/11	metric [1] 6423/6		6464/21 6464/22	6476/21
6404/22 6408/21	metrics [1]		6464/22 6464/23	navboost [54]
6425/2 6425/23	6441/1	6419/13 6420/2	6471/6 6478/15	6402/15 6402/17
6426/13 6429/5	Microsoft [7]	6433/23 6433/25	morning [4]	6402/22 6402/25
6436/24 6437/16	6396/11 6396/12	6434/1 6437/25	6430/14 6432/18	6403/4 6403/7
6454/22 6464/21	6397/2 6397/4	6447/9 6452/11	6475/15 6478/22	6403/16 6403/18
6464/21 6464/22	6397/6 6474/18	6452/15 6453/18	most [12] 6396/20	
6472/4	6474/23	6473/9 6473/12	6400/2 6400/11	6404/5 6404/6
MEAGAN [1]	middle [6] 6443/15 6443/21	6473/13 6473/17	6417/10 6418/7 6426/12 6429/5	6404/13 6404/15 6404/17 6405/3
6392/16	6113/22 6111/2	models [16] 6428/12 6431/1	6439/23 6451/1	6405/7 6405/15
mean [35] 6398/12	6447/4 6469/3	6431/8 6445/14	6472/5 6472/7	6405/20 6405/23
6401/1 6402/18	might [18]	6447/12 6448/6	6473/1	6406/2 6406/9
6403/18 6410/25	6396/16 6396/24	6452/2 6452/10	move [1] 6412/10	
6415/6 6416/24	6398/2 6402/23	6452/16 6452/20	Mr. [14] 6395/3	6407/21 6409/9
6419/8 6421/20	6407/20 6418/22	6452/25 6453/5	6465/14 6468/16	6409/18 6411/4
6422/21 6425/5	6420/4 6424/16	6453/17 6459/24	6474/2 6474/12	6412/19 6412/19
6426/20 6430/12	6429/4 6429/7	6473/15 6473/17	6474/16 6474/19	6412/20 6412/22
6430/20 6433/9	6429/9 6433/23	modest [2]	6474/20 6475/20	6413/1 6413/4
6435/8 6436/23 6437/14 6440/18	6438/18 6441/13	6444/25 6445/2	6476/1 6476/14	6413/12 6413/15
6441/10 6444/9	6441/15 6453/22	modifications [1]	6476/14 6476/15	6420/21 6420/22
6444/13 6445/11	6466/24 6475/25	6475/22	6478/3	6421/3 6421/4
6447/8 6449/11	milliseconds [6]		Mr. Cavanaugh [4]	
6452/18 6452/25	6458/10 6461/14	6396/14 6428/12	6468/16 6474/2	6432/14 6438/22
6461/20 6461/25	6463/5 6463/9		6474/16 6475/20	6438/23 6440/13
6463/19 6464/11	6463/19 6463/24	6422/2 6451/11	Mr. Cavanaugh's	6454/7 6471/22
6470/8 6472/3	minimize [2] 6420/8 6472/21	money [2] 6398/11 6427/5		6471/23 6472/1 6472/14 6472/16
6472/19 6472/24			Mr. Dintzer [1] 6395/3	6472/20 6473/3
meaning [1]	6438/11 6438/14		Mr. Gomes [1]	NAYAK [5] 6394/3
6412/6	6438/17 6438/20	6434/11 6434/14	6465/14	6395/14 6468/19
meaningfully [1]	6438/25 6439/3	6434/15 6434/18	Mr. Lehman [1]	6468/21 6473/20
6415/12	minute [1]	6434/18 6434/19	6476/14	nearly [1]
meanings [1] 6421/18	6476/13	6434/21 6449/5	Mr. Roszak [2]	6447/20
means [8] 6403/19	minutes [2]	6449/5 6449/6	6476/15 6478/3	Nebraska [1]
6404/17 6413/4	01111/1 0101/1	6449/12	Mr. Schmidtlein	6392/22
6415/16 6419/4	misunderstood [2]	months [12]	[ <b>1</b> ] 6474/12	necessarily [4]
6433/17 6436/9	6419/1 6425/3	6405/21 6405/24	Mr. Sommer [1]	6398/2 6412/16
6441/23	mixture [1]	6410/21 6410/21	6476/1	6430/21 6464/20
meant [4] 6420/17	6417/20 ML [1] 6459/24	6432/11 6432/17 6433/16 6433/18	Mr. Vallez [1] 6474/20	<b>need [24]</b> 6398/4 6404/12 6404/24
6438/16 6438/21	mobile [44]	6434/6 6434/24	Mr. Yoo [1]	6406/10 6414/17
6468/1	6413/1 6413/7	6449/7 6449/10	6476/14	6422/6 6427/23
measure [3]	6414/18 6414/25	more [57] 6397/3	much [12] 6395/20	
6428/8 6428/20	6415/3 6415/9	6397/6 6397/25	6404/1 6405/5	6439/1 6445/17
6434/25	6415/10 6415/10	6398/1 6398/11	6420/1 6434/21	6445/18 6445/23
measured [2] 6434/14 6450/21	6415/11 6415/15	6398/13 6404/12	6443/24 6453/23	6447/14 6449/23
measurement [2]	6415/15 6415/18	6404/24 6405/5	6469/20 6473/17	6452/20 6452/21
6434/8 6434/20	6415/19 6415/20	6415/15 6415/18	6473/20 6473/22	6452/22 6454/13
measuring [1]	6415/22 6417/3	6416/7 6416/12	6478/21	6455/3 6455/4
6450/19	6417/6 6417/11	6420/14 6428/2 6429/10 6429/19	multiple [1] 6434/16	6459/17 6460/1
mechanism [3]	6417/20 6418/4 6418/5 6418/7	6429/10 6429/19 6429/19	MUM [10] 6451/7	6475/1 needed [1]
6406/15 6406/18	6418/8 6418/10	6434/12 6434/13	6451/16 6452/11	6447/21
6407/1	6418/11 6419/5	6437/5 6437/17	6452/15 6453/1	needs [6] 6432/22
meet [3] 6395/17	6419/10 6420/2	6437/17 6437/17	6453/5 6473/8	6444/5 6447/11
6395/18 6464/3	6/20/10 6/20/17	6441/19 6443/17	6473/9 6473/12	6449/1 6470/15
MEHTA [1] 6392/10 memorization [2]	6420/21 6420/22	6445/4 6446/23	6473/13	6474/24
memorization [2] 6440/13 6440/18	6420/25 6421/4	6447/5 6447/12	N	network [5]
memorized [1]	6421/11 6456/16	6447/14 6447/15	N 6205 /16	6444/13 6461/4
	6456/16 6456/17	6449/7 6449/9	name [5] 6395/16	6461/6 6461/7

network... - people 6489

network people	T	T	Τ .	6489 I
N	<pre>numbered [1] 6455/13</pre>	6406/24 6408/12 6469/25	6476/13 ordering [5]	6451/18 6452/1 6455/12 6455/13
network [1]	numbers [8]	one [62] 6396/22	6411/18 6411/19	6456/10 6457/3
6469/18	6418/1 6418/3	6398/12 6398/20	6425/24 6426/13	6459/3 6459/5
networks [1]	6423/23 6435/25	6399/13 6400/16	6472/20	6461/8 6463/1
6447/13 new [13] 6392/24	6436/1 6463/21	6401/14 6402/4	organic [1]	6463/11 6464/23
<b>new [13]</b> 6392/24 6393/12 6421/6	6464/1 6477/19	6402/6 6402/15	6444/5	6469/3 6469/10
6421/7 6421/19	<b>NW [3]</b> 6392/14	6403/3 6404/12	organization [1]	6469/18 6469/20
6422/6 6426/14	6392/17 6393/24	6408/13 6408/22	6454/20	6469/24 6472/11
6426/15 6427/18	<b>NY [2]</b> 6392/24 6393/12	6408/24 6410/7	organized [1] 6425/5	6473/1
6432/10 6432/23	0393/12	6410/24 6411/14 6411/18 6417/16	otherwise [3]	page 8075 [1] 6446/24
6453/4 6475/22	0	6420/24 6422/17	6432/23 6459/9	page X1723 [1]
newsletter [5]	o'clock [2]	6423/13 6426/23	6477/11	6420/15
6413/17 6413/20	6442/13 6476/2	6426/24 6428/19	out [15] 6398/4	pages [13] 6399/1
6414/8 6414/15 6442/21	<b>object [1]</b> 6455/3	6430/4 6431/18	6398/13 6400/4	6406/13 6406/20
newsletters [1]	objection [7]	6438/23 6439/14	6404/14 6405/4	6414/12 6454/12
6413/21	6435/15 6442/11	6439/16 6441/7	6408/23 6411/13	6454/14 6455/1
next [22] 6407/6	6442/17 6451/22 6457/24 6462/18	6442/8 6445/12	6411/20 6427/1	6456/16 6456/17
6407/9 6411/8	6465/10	6446/23 6448/13 6448/13 6450/4	6438/25 6447/11 6456/1 6460/18	6456/18 6459/4 6459/6 6477/18
6415/2 6415/24	oblong [1]	6454/19 6455/10	6470/12 6470/14	paired [1]
6417/9 6437/1	6412/10	6456/3 6457/8	output [2]	6443/23
6437/19 6438/10	observed [1]	6458/9 6459/16	6424/13 6425/6	PANDURANG [3]
6443/15 6443/16 6443/21 6445/3	6461/10	6460/9 6460/21	outright [1]	6394/3 6395/14
6447/17 6454/1	obsolete [1]	6460/22 6461/11	6461/21	6468/19
6456/10 6457/3	6453/10	6461/17 6461/19	outside [2]	paragraph [7]
6462/2 6464/8	obviously [1] 6465/21	6462/8 6465/25	6395/8 6421/8	6443/15 6443/21
6465/20 6475/6	occur [5] 6415/14	6469/1 6470/12 6472/2 6473/3	outweigh [1] 6476/24	6443/22 6444/3 6444/21 6447/4
6475/15	6415/18 6417/21	6474/5 6474/18	over [14] 6398/21	
Nice [2] 6395/17	6418/7 6418/8	6474/20 6476/11	6417/9 6428/19	paragraphs [1]
6395/18 Nicholas [3]	occurred [1]	6476/20 6477/8	6433/18 6433/21	6453/4
6463/12 6463/12	6433/21	6477/23	6437/15 6437/20	part [18] 6399/14
6469/3	occurrence [2]	ones [11] 6400/4		6400/3 6401/6
<b>night</b> [1] 6442/13	6415/11 6418/12	6404/14 6411/20	6461/5 6461/5 6465/20 6471/22	6412/3 6415/18
Nine [1] 6464/2	occurs [1] 6406/13	6418/3 6418/4 6419/3 6419/4	6472/4	6421/24 6422/25 6431/8 6431/18
none [3] 6405/10	October [1]	6426/22 6428/2	overall [5]	6436/16 6436/17
6429/11 6453/17	6392/5	6443/11 6472/23	6396/24 6398/16	6444/14 6445/3
normal [1] 6442/22	of addressing [1]	online [1]	6419/15 6466/19	6445/7 6445/25
Norton [8]	6459/14	6413/14	6472/3	6446/2 6454/21
6420/16 6420/17	off [21] 6395/19		overlap [1]	6461/9
6420/18 6421/5	6402/13 6402/20 6409/17 6409/19	6403/19 6404/17 6407/4 6407/22	6426/11	particular [8]
6421/9 6421/10	6417/19 6434/5	6408/13 6411/18	overlapped [1] 6419/4	6411/23 6413/22 6418/24 6422/8
6421/20 6422/7	6435/3 6435/25	6416/18 6419/19	oversimplification	
notes [2] 6435/13	6448/14 6448/21	6422/10 6429/18	[1] 6444/10	6478/14 6478/15
6456/1	6467/20 6467/23	6432/5 6433/24	Overview [1]	particularly [1]
<b>notice [1]</b> 6475/14	6468/1 6468/5	6441/3 6443/16	6410/2	6478/11
notify [1] 6476/6	6468/7 6468/10	6460/21 6460/21	own [3] 6439/20	parties [1]
noting [1]	6470/19 6471/1 6472/23 6472/24	6461/13 6469/18 6471/23	6461/4 6461/7	6476/17
6449/21	offer [8] 6435/13		P	<pre>pass [1] 6468/13 past [12] 6398/17</pre>
number [27]	6435/14 6442/6	open [5] 6395/6	p.m [4] 6392/6	6398/18 6398/21
6397/11 6397/13	6451/20 6454/24	6422/19 6474/22	6454/5 6454/6	6398/23 6405/15
6397/14 6414/11	6457/22 6462/16	6475/2 6477/25	6478/23	6405/16 6405/21
6414/12 6417/19 6418/9 6426/7	6465/9	operate [1]	page [42] 6394/2	6406/22 6424/20
6432/16 6433/16		6404/24	6394/10 6401/2	6426/3 6467/4
6434/22 6435/2	6416/20	operates [1]	6401/12 6403/5	6470/21
6436/4 6436/4	Official [2]	6432/1	6403/10 6406/21	Patterson [1]
6436/5 6437/2	6393/23 6479/3 old [3] 6402/25	<pre>opportunity [2] 6455/6 6476/12</pre>	6407/25 6408/20 6409/25 6414/11	6392/22 Paul [2] 6475/11
6437/4 6437/6	6426/22 6440/12	optimal [2]	6415/2 6415/24	6475/12
6437/6 6454/25	older [5] 6450/6	6420/7 6420/9	6417/5 6417/9	paying [1]
6458/9 6463/20	6450/11 6450/14	optimize [3]	6419/14 6420/15	6446/15
6464/13 6465/25 6471/21 6477/17	6450/18 6450/20	6419/19 6419/20	6423/16 6439/11	<b>peak</b> [1] 6460/11
6477/18	once [6] 6399/23	6470/14	6440/3 6440/11	<b>peaks</b> [1] 6460/7
J 1 / / 10	6404/13 6404/16	order [2] 6465/21	6442/25 6446/24	people [20]

people... - ranking 6490

P	plenty [3]	6465/20	6475/14	6466/5 6471/13
people [20]	6460/12 6472/22	probably [7]	<b>pub</b> [6] 6420/16	6471/18 6471/18
6411/9 6412/10	6474/9	6397/1 6399/19	6420/18 6421/5	query [36]
6416/12 6416/16	plus [2] 6398/22		6421/5 6421/20	6398/25 6399/2
6417/1 6418/5	6469/20	6453/24 6465/21	6422/7	6399/5 6401/2
6420/11 6421/6	point [8] 6395/20		<b>public</b> [3] 6477/1	6406/10 6406/11 6406/16 6407/3
6421/7 6421/8	6419/20 6421/17 6422/5 6430/20	<pre>problem [5] 6447/16 6460/13</pre>	6477/2 6477/11 publish [1]	6412/6 6417/1
6425/6 6426/22	6438/10 6461/1	6460/14 6460/22	6413/20	6424/1 6424/2
6427/15 6428/4	6467/16	6464/13	Publishing [2]	6424/3 6424/6
6441/18 6464/13	policies [1]	proceed [3]	6420/17 6421/10	6424/7 6424/8
6467/6 6467/15	6458/14	6395/3 6439/16	<b>Pubs [1]</b> 6421/9	6425/8 6425/15
6468/4 6470/22	<b>policy [3]</b> 6468/7		<b>pull [2]</b> 6399/12	6425/22 6425/22
<b>per [2]</b> 6446/18 6449/6	6468/9 6468/10	proceedings [2]	6404/7	6429/16 6431/9
percent [7]	position [1]	6478/23 6479/5	pulled [1]	6432/12 6438/14
6417/15 6417/16	6437/15	process [10]	6419/24	6441/3 6448/22
6418/18 6418/18	possible [6]	6400/6 6400/11	<b>push</b> [3] 6459/3	6450/10 6450/11
6456/20 6472/17	6396/8 6420/7	6427/3 6427/7 6431/15 6459/15	6459/5 6475/23	6450/12 6450/13 6461/12 6461/19
6472/17	6428/4 6432/5 6443/24 6448/9	6459/18 6471/6	<pre>put [9] 6395/20 6415/21 6416/25</pre>	6461/22 6465/25
percentage [2]	possibly [1]	6471/9 6472/18	6424/20 6424/20	6466/11 6473/14
6418/14 6448/20	6429/16	processes [1]	6425/1 6425/2	question for [1]
performance [2]	post [4] 6451/14	6458/14	6429/3 6432/17	6421/25
6428/20 6441/1	6473/7 6475/13	produce [2]	<pre>puts [2] 6401/8</pre>	quite [6] 6407/19
<pre>perhaps [2]  6472/5 6472/7</pre>	6476/13	6426/2 6470/7	6410/14	6430/3 6433/17
period [2]	postings [4]	produced [1]	putting [1]	6450/1 6470/25
6397/14 6433/21	6406/17 6406/17	6455/2	6408/19	6471/22
personalizing [1]	6407/2 6472/6	product [6]	0	R
6429/11	potential [3]	6421/24 6422/25	Q	
personally [1]	6453/5 6474/20	6464/3 6464/12	QBST [1] 6440/13	raise [1] 6475/1
6477/8	6477/2 potentially [2]	6477/23 6477/24 production [2]	QPS [1] 6452/22 qualifies [1]	raised [3] 6457/13
perspective [1]	6439/19 6478/8	6473/13 6473/18	6423/25	6474/18
6419/15	1	professional [2]	quality [20]	raising [1]
phase [2] 6407/6	6429/6	6416/8 6416/20	6398/8 6398/20	6461/17
6407/10 <b>phone [2]</b> 6419/24	powerful [2]	program [1]	6406/21 6413/16	ran [1] 6409/8
<pre>phone [2] 6419/24 6421/11</pre>	6426/16 6453/9	6463/18	6423/18 6423/24	random [2]
phrase [1]	practices [2]	programming [1]	6423/25 6428/9	6409/22 6410/5
6404/22	6459/18 6471/3	6477/17	6441/13 6449/17	range [1] 6402/23
picking [1]	<b>pre</b> [1] 6439/20	progress [1]	6454/21 6464/17	rank [7] 6403/13
6413/12	pre-training [1]	6440/23	6465/1 6467/3	6406/21 6407/8 6408/1 6444/5
picks [1] 6410/17	6439/20 prediction [1]	Project [1] 6458/12	6470/7 6470/12 6470/20 6470/25	6472/18 6472/19
picture [1]	6476/1	projects [3]	6472/11 6478/1	RankBrain [16]
6428/22	prefer [2]	6458/13 6477/9	quarter [1]	6431/2 6431/11
piece [1] 6429/6	6/19/19 6/21/10	6477/10	6414/8	6431/11 6431/15
pieces [2] 6404/4	preferences [1]	prominently [1]	queries [48]	6431/20 6431/22
6408/20 pizza [4] 6412/14	6447/22	6471/22	6405/16 6405/21	6431/25 6432/5
6412/14 6412/15	prepared [1]	proposals [2]	6405/24 6415/3	6432/8 6432/11
6412/17	6399/11	6476/18 6476/19	6415/8 6415/10	6432/22 6436/2
place [6] 6409/6	present [3]	propose [1]	6415/10 6415/23	6436/23 6437/10
6412/17 6422/10	6401/6 6422/15	6475/21	6415/23 6415/25 6416/4 6416/7	6443/6 6447/13 ranked [2] 6426/8
6424/19 6453/22	6473/24 presentation [3]	<pre>proposed [4] 6474/22 6475/2</pre>	6416/8 6416/9	ranked [2] 6426/8
6467/15	6/25/0 6/25/10	6475/22 6477/21	6416/20 6416/22	RankEmbed [15]
<b>places [1]</b> 6467/5	6474/1	proprietary [4]	6416/23 6416/25	6400/6 6400/13
Plaintiff [1]	pressed [1]	6396/19 6396/20	6417/6 6417/12	6400/15 6400/16
6393/2	6444/18	6477/14 6477/20	6417/20 6418/4	6400/23 6431/6
<pre>plaintiffs [5] 6392/4 6392/13</pre>	presumably [1]	<b>pros</b> [1] 6428/16	6418/6 6418/7	6443/7 6448/13
6392/21 6471/21	6454/22	protections [1]	6418/8 6418/9	6448/15 6448/17
6476/8	previous [2]	6437/25	6418/10 6418/11	6448/17 6448/21
Platform [1]	6449/20 6460/9	protocol [1]	6418/12 6418/14	6449/17 6449/21
6454/18	previously [2]	6470/1	6424/20 6425/8 6425/10 6431/25	6451/4
platforms [2]	6476/14 6477/1	<pre>provide [3]  6422/19 6425/14</pre>	6433/20 6434/12	ranking [28] 6400/1 6400/19
6454/15 6454/19	primary [1] 6428/8	6434/16	6434/13 6434/18	6404/19 6407/4
<b>play [1]</b> 6458/25		provides [1]	6455/16 6455/20	6407/10 6424/4
<pre>please [3] 6406/5</pre>	6396/24	6413/15	6455/24 6456/2	6425/19 6427/18
6422/3 6451/12	priorities [1]	providing [1]	6458/6 6466/1	6430/24 6431/2
	I	<del>-</del>		1

ranking... - screen 6491

ranking screen				6493
R	6405/21 6405/24	6418/9 6437/3	6426/13 6426/14	6411/25 6424/12
<del></del>	6455/5	relevance [2]	6426/15 6428/24	6424/17 6425/22
ranking [18]	receiving [1]	6443/17 6447/20	6429/1 6429/9	6427/15 6427/15
6431/17 6433/7	6398/25	reliability [1]	6429/11 6429/19	6427/17 6427/24
6433/10 6436/16	recently [1]	6472/12	6429/24 6429/25	6431/15 6431/19
6436/19 6436/20	6471/6	reliant [1]	6431/23 6441/8	6431/22 6452/23
6436/23 6437/20	Recess [1] 6454/5		6458/7 6458/9	6460/11 6460/11
6438/19 6438/20		rely [1] 6447/19	6459/2 6461/11	6473/12 6473/14
6438/24 6442/21	recognize [5]	remember [6]	6463/5 6469/10	6473/17
6443/6 6443/17	6437/4 6437/6	6404/22 6406/12	6469/13 6470/7	running [10]
6443/22 6445/7		6422/9 6457/13	6470/20 6471/24	6424/15 6446/13
6451/1 6464/17	6476/20	6457/16 6457/18	6472/12 6473/10	6452/2 6452/15
rankings [2]	recollection [1]	remind [2]	6477/24	6452/19 6452/21
6399/20 6423/4 rapid [2] 6427/19	6469/6	6402/22 6465/18	retrained [2]	6459/23 6469/13
6440/23	recommended [1]	removing [1]	6432/8 6449/1	6469/23 6473/13
*	6421/6	6398/14	retraining [1]	
rapidly [2] 6424/4 6426/17	record [2] 6454/6	render [1]	6449/18	S
	6479/5	6469/23	retrieval [10]	<b>safe [1]</b> 6476/3
rarely [2] 6397/20 6450/9	recorded [1]	repeat [1] 6418/2	6400/6 6400/11	<b>SALLET [1]</b> 6393/2
rated [31 6424/3	6395/22	replace [1]	6400/14 6400/17	<b>same [22]</b> 6398/6
6424/18 6425/12	redact [5] 6477/8	6437/10	6401/20 6406/15	6402/25 6411/14
rater [8] 6423/4		Reporter [3]	6406/18 6407/1	6417/12 6417/21
6423/24 6423/25	6478/9 6478/14	6393/23 6393/23	6451/5 6472/10	6418/4 6419/4
6427/19 6428/25	redacted [1]	6479/3	retrieve [10]	6420/12 6425/23
6429/16 6432/6	6432/18	representation [2]		6428/16 6430/21
6448/24	redactions [1]	6429/4 6429/13	6406/11 6422/7	6432/13 6434/8
raters [14]	6477/21	request [4]	6422/8 6445/20	6434/10 6434/11
6424/3 6424/13	redirect [3]	6455/8 6460/10	6445/21 6451/1	6435/3 6437/21
6424/16 6425/12	6394/5 6468/16	6476/17 6476/24	6471/24 6472/6	6448/5 6456/24
6425/13 6426/4	6468/19	requests [2]	retrieved [4]	6463/8 6463/24
6426/10 6426/21	redo [1] 6400/24	6460/8 6476/23	6406/24 6407/3	6464/1
6429/2 6429/4	reduce [10]	require [5]	6408/10 6422/10	samples [1]
6429/12 6441/3	6411/3 6459/20	6427/18 6428/1	retrieving [1]	6424/2
6441/9 6447/19	6460/3 6460/7	6428/3 6443/23	6472/4	satisfied [2]
rating [2]	6460/25 6462/12	6444/25	returning [1]	6421/8 6441/20
6428/15 6429/25	6463/19 6463/20	required [1]	6469/10	saying [5]
ratings [7]	6465/1 6470/6	6447/15	revealed [1]	6398/19 6418/13
6424/16 6425/14	reducing [4]	requires [3]	6477/15	6418/15 6422/11 6450/1
6426/2 6426/4	6406/1 6409/8	6397/25 6398/1	review [3]	· ·
6426/5 6426/15	6409/17 6462/15	6445/7	6435/20 6455/6	<b>scale [2]</b> 6438/11 6452/21
6426/15	reduction [2] 6409/5 6409/14	research [1] 6416/17	6476/12	
reaches [1]	refer [1] 6430/20	· ·	reviewed [1] 6455/1	scheme [2] 6411/6
6404/6	refer [1] 0430/20	6474/25	right [154]	SCHMIDTLEIN [2]
reaching [1]	6430/20	resolved [1]	ring [6] 6403/17	6393/7 6474/12
6404/5	referring [6]	6475/16	6403/17 6403/21	school [2] 6416/9
read [2] 6463/17	_	respect [2]	6403/21 6404/6	6416/13
6464/9	6418/24 6422/22	6462/8 6466/14	6404/8	schoolwork [1]
ready [1] 6395/3	6436/22 6466/17	response [1]	risky [1] 6438/6	6416/15
reality [1]	refers [1] 6469/4		Rochester [6]	scope [1] 6474/21
6434/24	reflects [1]	rest [1] 6460/20	6421/5 6421/6	score [16]
really [11]	6449/2	restaurant [1]	6421/7 6421/8	6399/24 6400/10
6399/11 6418/1	refresh [1]	6412/15	6421/19 6422/6	6401/3 6401/18
6455/16 6456/2	6469/6	result [6] 6421/6	rocks [1] 6441/1	6402/1 6402/2
6464/18 6468/23	regions [1]	6456/19 6469/9	role [1] 6400/3	
6470/16 6470/24 6470/25 6472/11	6421/9	6469/11 6471/5	roll [1] 6448/14	6423/2 6423/4
6470/23 6472/11	regular [1]	6478/16	rooms [1] 6467/23	6425/15 6425/17
realtime [1]	6432/22	results [47]	root [1] 6412/10	6426/6 6426/24
6396/21	regularly [1]	6399/4 6399/8	rooting [1]	6430/24 6431/12
reason [2]	6423/18	6401/12 6401/14	6412/12	scored [4]
6437/24 6470/9	relate [1]	6401/17 6401/24	Rosati [1]	6401/17 6426/8
reasonable [1]	6476/25	6403/7 6403/11	6393/11	6428/11 6451/2
6404/23	related [5]	6403/23 6408/20	Roszak [2]	scores [4]
reasons [2]	6416/8 6416/20	6411/17 6411/22	6476/15 6478/3	6399/21 6401/5
6406/14 6431/18	6436/21 6469/16	6412/3 6421/5	roughly [2]	6441/12 6467/13
recall [1]	6477/4	6424/2 6424/17	6417/21 6418/15	scoring [1]
6458/12	relationship [1]	6425/10 6425/23	round [1] 6412/12	
received [3]	6406/8	6425/24 6426/2	<b>rule [1]</b> 6428/3	screen [4] 6402/7
10001100 [0]	1	C10C/2 C10C/F	F101 C400/11	6100/00 6110/01
10001700 [5]	relative [2]	6426/3 6426/5	run [18] 6409/11	6402/20 6419/24

screen... - started 6492

S	6443/22 6444/24	6399/17 6399/18	6473/17 6473/17	6456/20 6470/3
screen [1]	6447/18 6471/8 send [3] 6407/9	6407/9 6409/8 6409/11 6443/6	<b>single [1]</b> 6426/24	<b>sorry [13]</b> 6400/15 6406/6
6420/4	6426/4 6470/1	SGE [1] 6448/7	singular [2]	6410/4 6417/1
scroll [1]	sending [1]	shipping [1]	6453/18 6459/16	6421/14 6423/11
6419/16 scrolling [2]	6469/17	6460/17	sister [1]	6427/9 6437/2
6419/22 6420/6	<b>sends</b> [1] 6460/19		6454/20	6442/8 6445/10
se [1] 6446/18	sense [9] 6429/15		site [1] 6421/10	6461/25 6472/15
sealing [2]	6436/13 6439/4 6447/15 6447/15	6435/9	<b>situation [1]</b> 6426/12	6475/7 sort [28] 6396/25
6475/2 6476/23	6447/19 6450/9	<b>showed [1]</b> 6441/18	situations [2]	6404/2 6404/14
search [53]		<b>shown</b> [6] 6401/15		
6395/21 6396/14 6396/18 6401/12		6411/12 6411/13	<b>six [1]</b> 6476/20	6410/13 6415/20
6401/22 6401/23	6443/16 6445/1	6411/14 6419/13	<b>size [4]</b> 6397/9	6417/21 6422/11
6405/10 6408/9	6464/8	6419/14	6397/16 6398/5	6434/7 6444/19
6408/10 6408/12	separate [4]	shows [1] 6403/14		6446/22 6450/15
6408/20 6408/23	6436/17 6436/22 6436/24 6448/4	<b>shutdown [1]</b> 6465/19	<b>Sky [1]</b> 6474/19 <b>slice [4]</b> 6412/20	6459/18 6460/8
6413/16 6414/18	sequences [1]	shy [1] 6435/14	6412/22 6417/10	6465/18 6467/16
6414/19 6419/2	6447/10		6420/25	6470/9 6470/16
6419/6 6420/12 6421/24 6422/25	sequencing [1]	6410/10 6410/12	slices [5]	6472/9 6472/19
6/23/19 6/29/12	6405/11	6410/12 6410/18	6412/19 6412/20	6475/4 6477/6
6428/24 6429/1		6410/18 6411/24	6412/23 6413/1	6477/23 6478/9
6429/19 6429/24	6401/11 6401/15	6426/21 6426/21	6420/24	6478/12
6429/25 6435/4	6401/24 6403/14 6408/25 6459/25	6426/23 6426/23 6465/25 6466/4	<b>slicing [1]</b> 6413/4	<b>sorted [1]</b> 6406/21
6439/23 6441/19	6460/16	6466/4 6466/11	<b>slide</b> [1] 6435/21	
6445/19 6446/1	<b>serve [2]</b> 6461/11		slides [1]	6428/2
6446/2 6448/12 6453/16 6454/15	6473/18	6466/15 6466/16	6423/12	<b>sound</b> [1] 6457/9
6454/18 6454/19	<b>served [3]</b> 6412/3		slightly [2]	sources [1]
6454/20 6454/20	6420/9 6422/9	6466/18 6469/12	6417/19 6420/9	6446/4
6458/7 6459/2	<b>server [7]</b> 6411/16 6464/2	6469/15 6470/7 6470/10	<b>slow [3]</b> 6455/17 6456/2 6473/14	South [1] 6392/19 Southern [1]
6459/3 6459/20	6464/12 6469/12	side-by-side [10]	slower [3] 6427/3	
6459/23 6461/11	6469/15 6470/7	6410/10 6410/12	6457/14 6457/19	
6463/15 6465/16 6469/10 6469/10	6470/10	6410/18 6426/21	slowly [1]	6477/11
6471/15 6477/18	server-side [1]	6426/23 6466/4	6449/17	special [1]
6477/19	6469/15	6466/11 6466/15	small [4] 6437/6	
searches [1]	servers [8]	6466/16 6466/18	6439/2 6449/21 6449/24	<b>specific [12]</b> 6395/23 6397/11
6463/21	6459/21 6460/3 6460/6 6460/8	<b>side-by-sides [1]</b> 6465/25	smaller [7]	6398/24 6420/22
searching [5]	6460/11 6460/23	<b>sides [2]</b> 6411/17		6439/20 6441/19
6412/14 6416/12	6460/25 6461/3	6465/25	6405/5 6407/8	6445/18 6452/10
6417/1 6421/10 6421/20	<b>serves</b> [1] 6458/7		6449/6 6452/10	6457/16 6457/19
second [16]	serving [2]	<b>signal</b> [4] 6406/9		6458/15 6458/17
6410/7 6420/4	6435/22 6473/10	6408/13 6408/14	SMURZYNSKI [2]	specifically [5]
6423/16 6436/1	<b>session [2]</b> 6392/7 6474/5	6413/15 signals [14]	6393/8 6394/5 so-called [1]	6416/7 6420/14 6458/6 6476/25
6436/2 6439/18	sessions [2]	6403/15 6407/8	6411/16	6477/3
6440/22 6442/8	6475/6 6476/14	6407/25 6408/1	software [1]	specifics [2]
6442/12 6442/25 6444/3 6452/22	<b>set [27]</b> 6399/15	6408/1 6408/2	6460/15	6420/3 6420/5
6455/13 6461/8	6399/23 6402/9	6408/16 6408/22	solution [1]	<b>speed [1]</b> 6469/18
6474/19 6475/18	6404/6 6404/11	6408/24 6472/1	6420/9	<b>spend</b> [1] 6398/11
secondary [1]	6404/13 6404/15	6472/3 6472/4	<b>solving [1]</b> 6447/16	spending [1]
6419/23	6404/16 6405/5 6407/8 6424/6	6472/22 6473/3 signed [1]	somebody [2]	6470/6 SSL [1] 6462/5
seconds [2]	6424/7 6424/8	6409/17	6396/21 6412/12	stack [4] 6396/14
6456/7 6461/13	6425/8 6425/15	significance [1]	sometimes [6]	6404/1 6405/10
<b>secrets [2]</b> 6477/20 6478/16	6425/16 6425/22	6472/18	6406/21 6417/11	6428/12
Section [1]	6425/22 6425/23	significant [1]	6420/4 6426/15	stand [1] 6468/22
6393/4	6425/23 6426/6	6443/16	6428/11 6428/15	standard [1]
<b>secure</b> [1] 6478/8	6426/8 6446/23 6457/19 6458/13	significantly [1] 6457/14	<b>somewhat</b> [1] 6434/13	6410/13  stands [2]
security [1]	6458/18 6466/11	similar [1]	somewhere [1]	6401/20 6469/7
6470/4	sets [3] 6424/1	6467/6	6402/23	start [6] 6395/19
<b>seeing [4]</b> 6427/20 6439/1	6424/3 6465/25	Similarly [1]	<b>Sommer</b> [1] 6476/1	
6455/13 6456/23	<b>seven [2]</b> 6434/17	6478/3	Sonsini [1]	6449/17 6459/2
seems [5] 6441/17	6434/19		6393/11	6460/10
	several [6]	simpler [2]	sooner [2]	started [4]

started... - transcript 6493

S	summary [2]	6440/9 6441/7	tested [1]	tomorrow [4]
started [4]	6458/5 6463/17	6443/12 6449/4	6426/23	6474/1 6474/6
6430/14 6430/16	summit [3]	6451/7 6466/4	testers [1]	6475/1 6475/18
6432/13 6443/5	6454/15 6454/18	6467/2 6473/16	6423/20	tongue [1]
starting [2]	6454/21	talking [18]	testified [2]	6448/14
6397/24 6468/21	suppose [3]	6399/13 6402/10	6397/12 6437/3	took [3] 6409/6
<b>State [3]</b> 6392/21	6419/9 6419/9	6405/9 6417/13	testifying [1]	6420/1 6449/24
6392/22 6393/2	0443/2	6418/13 6418/19	6418/16	tool [1] 6413/25
statement [3]	supposed [5]	6418/20 6420/14	testimony [2]	tools [1] 6463/1
6397/2 6444/19	6400/24 6418/1		6433/1 6473/21	top [21] 6408/4
6450/4	6429/12 6463/20		testing [3]	6410/4 6414/14
statements [1]	6463/21	6436/24 6448/2	6466/4 6466/7	6415/3 6415/6
6477/23	<b>sure [20]</b> 6395/12		6466/14	6415/20 6415/25
<b>STATES [4]</b> 6392/1		6464/24 6473/7	tests [1] 6423/24	
6392/3 6392/11	0421/13 0422/1	talks [1] 6429/11		6421/4 6421/15
6412/9	6422/14 6422/22	Tangram [3]	6408/17 6408/18	6428/8 6431/12
States' [1]		6408/15 6408/17	6408/19 6408/23	6435/22 6437/9
6474/21		6408/18	thinking [2]	6438/8 6452/1
<b>stay [1]</b> 6443/15	6444/1 6446/22	task [1] 6439/20	6460/23 6462/14	6456/19 6460/19
steps [1] 6429/7	6453/3 6459/17	task-specific [1]	third [6] 6414/8	
<b>STEVEN [1]</b> 6393/3	6464/25 6465/19	6439/20	6421/4 6440/12	topic [3] 6441/3
still [8] 6398/8	64/0/20 64/1/2		6451/18 6452/1	6441/4 6468/21
6419/21 6437/24	6474/7	Taylor [1]	6463/1	topicality [2]
6438/10 6446/23	surprised [1]	6395/21	though [4] 6398/9	
6453/14 6453/19	6409/11	teacher [1]	6437/15 6446/21	touches [1]
6460/19	suspect [1]	6473/9	6450/5	6441/7
<b>stop [1]</b> 6470/24	6444/10	team [8] 6411/8	thought [3]	tracks [1] 6417/2
<b>store</b> [1] 6396/8	SW [1] 6393/9	6454/20 6454/21	6477/19 6478/9	trade [4] 6470/19
storekeepers [1]	Swift [1] 6395/21		6478/15	6471/1 6477/20
6422/18	system [15]	6459/16 6465/1	thousands [7]	6478/16
straightforward	6395/21 6400/7	6466/20	6406/25 6407/5	trade-off [1]
<b>[1]</b> 6447/12		teams [6] 6459/17 6459/17		6470/19
straining [1]	6408/15 6424/12		6408/2 6431/23 6472/8	traditional [5] 6404/20 6438/11
6440/23	6436/9 6438/7	6464/19 6465/2 6471/7	•	6438/14 6450/22
strategy [3]	6441/12 6444/5	technical [1]	<b>three [10]</b> 6398/17 6398/18	6451/5
6460/15 6460/16	6446/16 6448/12	6413/22	6398/21 6398/23	traditionally [1]
6460/18	6473/11	technique [1]	6409/21 6414/12	6438/18
<b>stream</b> [1] 6424/2	systems [23]	6411/23	6431/1 6431/8	traffic [8]
streaming [2]	6396/19 6396/22	techniques [1]	6434/9 6477/4	6409/22 6410/5
6460/18 6470/1	6400/3 6400/5	6422/8		6410/8 6446/8
Street [3]	6400/17 6402/13	technology [2]	6434/9	6446/8 6449/22
6392/14 6392/17	6402/15 6404/20	6478/10 6478/13	throughput [1]	6449/25 6460/6
6392/19	6407/23 6428/17	tend [1] 6427/15	6452/20	train [9] 6428/12
strengths [1]	6430/18 6430/19	tens [6] 6406/25	ties [1] 6443/17	6428/16 6432/5
6437/10	6438/8 6438/19	6407/5 6407/7	tightly [1]	6433/23 6436/9
structure [1]	6439/23 6440/13	6407/15 6408/2	6443/17	6447/14 6449/7
6448/16	6443/8 6443/11	6472/8	TikTok [3] 6467/7	
structured [1]	6446/1 6446/2	terabytes [1]	6467/9 6467/13	train' [1]
6460/15	6450/22 6453/10	6435/1	times [8] 6422/19	
students [2]	6477/16	term [6] 6401/11	6425/5 6434/17	trained [12]
6416/16 6416/18		6415/7 6419/4	6434/19 6456/3	6405/18 6431/8
studies [3]	T	6420/12 6439/4	6460/11 6466/24	6431/25 6432/11
6409/13 6446/8	table [2] 6415/19		6475/22	6432/22 6433/12
6462/4	6418/25	terms [11]	timing [1] 6474/6	
<b>study [1]</b> 6462/3	+aal-1a [1]	6421/18 6446/13	title [1] 6454/14	
stuff [5] 6398/14	6463/18	6446/17 6459/7	TL [1] 6464/8	6448/21 6449/21
6403/13 6403/13	tail [2] 6436/3	6472/18 6473/8	today [11] 6434/8	
6422/19 6470/2	6463/19	6473/10 6474/1	6437/21 6445/14	6411/4 6439/19
<b>sub</b> [1] 6452/22	talk [3] 6426/21		6448/6 6453/16	6439/20 6447/5
subject [3]	6433/16 6440/12	6477/11	6458/7 6461/10	6447/9 6447/11
6413/22 6455/7	talked [22]	terrible [1]	6474/24 6477/14	6447/12 6447/25
6477/7	6397/9 6398/25	6463/20	6477/25 6478/13	6449/1 6452/1
subset [2]	6401/22 6406/1	terrific [2]	together [6]	6452/9 6473/16
6433/24 6454/23	6408/8 6409/5	6442/18 6474/11	6403/13 6404/4	6477/17
subsume [2]	6413/8 6418/17	test [7] 6410/14	6408/3 6408/20	trains [2] 6436/3
6440/13 6440/18	6418/18 6421/23	6410/18 6423/18	6410/14 6446/9	6436/8
a rat conocian			i ' '	i e
Suite [2] 6392/19	6423/2 6430/13	6423/25 6467/8	tolerating [1]	transcript [2]
<b>Suite [2]</b> 6392/19 6392/23	6423/2 6430/13 6435/20 6440/6	6423/25 6467/8 6467/10 6467/12	tolerating [1] 6470/19	transcript [2] 6392/10 6479/4
	6423/2 6430/13			

transcripts - words 6494

transcripts - words				649
т	U	UPX2034 [3]	6399/1 6399/14	6430/20 6430/23
transcripts [1]	U.S [6] 6392/14	6394/12 6451/9	6407/10 6410/18	6438/18 6459/8
6477/15	6392/16 6392/19	6451/24	6431/1 6447/10	6472/25
transform [1]	6393/24 6433/24	UPX680 [1]	6448/12 6467/18	weather [2]
6453/5	6433/25	6394/11	6471/23	6471/15 6471/15
transformer [2]	<b>UK [1]</b> 6412/11	<b>UPX860 [4]</b> 6433/14 6435/6	<b>using [9]</b> 6397/6 6407/1 6411/25	<b>web [13]</b> 6401/14 6401/17 6401/24
6447/11 6448/6	ultimately [1]	6435/14 6435/6	6428/16 6430/11	
transformer-based		usage [2] 6434/11		6403/23 6408/9
	unclear [1]	6437/5	6443/5 6473/15	6408/11 6443/5
transformers [1] 6447/10	6435/14	<b>use [19]</b> 6399/19	usually [4]	6445/14 6445/15
translate [1]	<pre>under [11] 6400/11 6410/2</pre>	6401/11 6402/7	6413/21 6415/11	6445/17 6448/7
6441/13	6436/2 6439/18	6407/8 6411/21	6427/18 6476/5	<b>Webb</b> [1] 6392/22
transport [2]	6441/2 6444/3	6419/10 6419/12	v	website [2]
6461/1 6469/16	6461/8 6462/2	6419/13 6424/3 6430/6 6438/18	-	6422/18 6451/21
travel [1]	6462/2 6463/1	6441/19 6448/11	6475/8	weekly [1] 6413/20
6460/24	6471/8	· · · · · · · · · · · · · · · · · · ·	Vallez [2]	welcome [3]
	understands [2]	6450/23 6472/1	6474/20 6475/8	6395/2 6402/7
6478/12	6436/3 6436/12	6472/6 6473/18	valuable [6]	6454/15
trees [1] 6430/15 TRIAL [1] 6392/10	understood [6] 6396/25 6430/3	<b>used [20]</b> 6397/3		<b>WENDY [2]</b> 6393/10
tried [1] 6470/21		6399/24 6401/6	6450/11 6450/15	6474/14
trimmed [1]	6467/25 6468/10	6406/1 6408/17		weren't [1]
6454/4	unique [1] 6426/7	6408/18 6409/9	<pre>variables [2] 6458/25 6472/17</pre>	6409/13
true [11] 6396/18	unit [2] 6427/10	6409/18 6412/19 6413/10 6428/11	various [5]	what's [6] 6401/5
6403/15 6421/2	6434/7	6433/6 6433/10	6406/14 6424/1	6445/21 6459/2
	UNITED [4] 6392/1	6436/9 6438/18	6428/2 6437/25	6475/10
6429/10 6430/25 6435/5 6453/19	6392/3 6392/11 6412/9	6449/24 6465/15		whereas [2]
	unless [1] 6400/9	6465/15 6470/21	<b>vast [1]</b> 6447/20	
trust [1] 6438/4		64/1/1/	versus [3] 6413/2	
	6429/5	useful [2]	6456/25 6461/13	
<b>try [6]</b> 6404/3	unreasonable [1]	6422/21 6470/11	<pre>view [1] 6413/25 visual [1] 6459/4</pre>	
6404/13 6428/3	6437/14	6405/18 6413/7	volume [1]	whole [8] 6421/17
	<b>up [27]</b> 6399/12	6414/20 6419/15	6434/25	6422/7 6425/15
	6400/2 6400/23	6421/2 6422/15	<b>vu [1]</b> 6457/4	6454/13 6454/22
trying [4] 6423/23 6427/20	6403/14 6408/13 6415/19 6419/24	6422/21 6428/20	W	6455/1 6455/10
	6424/17 6430/10	6429/3 6429/8	<del></del>	6460/16
tuned [2] 6432/3		6429/12 6429/13		wholly [1]
6448/24	6450/22 6454/14	6429/17 6433/12 6433/16 6436/3	6460/9 walk [2] 6406/18	6453/18 wifi [1] 6461/5
turkey [1] 6450/8		6439/23 6440/1	6407/2	WILLIAM [1]
turn [5] 6438/7	6459/25 6460/8		walking [1]	6392/22
6467/15 6468/4	6461/6 6462/3	6450/10 6456/4		Williams [1]
6472/23 6472/24 turning [2]	6464/16 6464/23 6465/1 6469/25	6461/2 6464/18	wander [1] 6395/8	6393/8
6422/24 6437/19	6471/4 6474/21	6464/19 6464/21	wants [2] 6410/14	
turns [2] 6447/11			6410/19	6393/11
6456/1	update [3]	6461/7		wire [1] 6469/17
<b>two [11]</b> 6410/17	6422/18 6463/15	<b>users [37]</b> 6401/6 6411/12 6411/13	6392/5 6392/15 6392/17 6393/9	within [2] 6446/19 6454/19
6411/17 6426/22	6465/20	6411/12 6411/13	6393/25 6471/16	without [3]
	updated [1]	6412/3 6415/9	WASZMER [2]	6409/18 6409/20
6453/4 6463/18	6402/25	6416/18 6417/11	6393/10 6474/14	6448/17
6474/1 6474/4 6474/16 6477/9	<b>upon</b> [1] 6399/12 <b>UPX</b> [1] 6451/19	6419/11 6419/21	way [26] 6398/12	witness [9]
Tyler [1] 6392/22		6420/9 6420/17	6398/14 6402/20	6394/2 6468/14
type [3] 6472/9	6394/14 6457/22	6420/18 6422/9	6402/22 6406/19	6468/17 6473/24
6472/10 6472/13	6458/1	6429/5 6429/5	6406/20 6411/17 6418/6 6420/1	6474/19 6474/20
<b>types [5]</b> 6409/21	UPX2026 [3]	6429/13 6429/15 6433/22 6433/23	6422/6 6424/4	6475/7 6475/8 6475/18
6410/4 6418/4	6394/13 6454/10	6433/24 6433/24	6426/16 6438/18	witnesses [4]
6446/10 6446/14	6455/9	6434/1 6441/2	6441/9 6445/23	6474/2 6474/4
typical [1]	UPX2027 [2]	6441/2 6441/8	6450/19 6458/21	6474/16 6477/4
6429/3 <b>typically [3]</b>	6394/15 6462/20 UPX2029 [2]	6441/13 6441/19	6459/14 6459/20	wonderful [1]
6399/4 6450/11	6442/1 6446/23	6441/22 6441/24	6460/14 6471/8	6470/9
	UPX2033 [3]	6441/25 6456/2	6472/21 6473/2	word [5] 6406/13
tzar [1] 6470/23	6394/16 6465/6	6459/4 6470/11	6474/22 6475/2 6478/12	6406/13 6412/6
	6465/12	6470/20 6471/4 uses [10] 6397/4		6412/14 6412/20 words [4] 6406/16
		ases [10] 039//4		#OTGS [4] 0400/10

words... - zero 6495

words zero				6495
W	Yoo [1] 6476/14			
words [3]	York [7] 6392/24			
6407/3 6427/10	6393/12 6421/6			
6473/1	6421/7 6421/19			
work [23] 6396/23	6422/6 6475/22			
6396/23 6400/24	you use [1]			
6404/16 6408/5	6419/10 you were [1]			
6409/16 6416/4	6418/19			
6416/8 6416/13				
6416/21 6450/22	Z			
6459/9 6459/24	<b>zero [1]</b> 6439/9			
6462/11 6464/22 6464/25 6469/12				
6469/25 6470/15				
6471/2 6471/8				
6476/5 6477/16				
worked [5] 6395/5	;			
6396/11 6396/12				
6396/14 6411/9				
workers [1]				
6416/25				
working [4]				
6396/22 6441/8				
6460/19 6471/8				
works [1] 6403/25				
workstreams [2] 6464/3 6464/12				
world [18]				
6412/17 6423/21				
6443/24 6444/6				
6444/10 6444/18				
6445/3 6445/7				
6445/10 6445/13				
6445/16 6445/19				
6445/21 6445/22				
6447/21 6448/1				
6448/8 6453/7				
worst [1] 6461/11				
worth [2] 6432/12				
6449/20 write [6] 6399/18				
6452/1 6452/9				
6466/10 6466/10				
6466/19				
writes [3]				
6463/14 6465/17				
6465/19				
written [1]				
6473/7				
wrong [1] 6419/9				
wrote [5] 6451/10 6451/14 6453/11	[			
6453/13 6453/14				
X				
<b>x1721 [1]</b> 6415/2				
<b>x1723 [1]</b> 6420/15	j			
Y				
year [1] 6430/10				
years [10]				
6398/17 6398/18				
6398/21 6398/23 6432/11 6434/9				
6441/3 6443/6				
6457/9 6467/4				
yellow [1] 6457/9	,			
yield [1] 6399/7				
1	Î.	İ	İ	l l